

NOTICE: This is an unofficial transcript of the portion of the Public Company Accounting Oversight Board's Standing Advisory Group meeting on June 18, 2015 that relates to the Staff Consultation Paper, *The Auditor's Use of the Work of Specialists*. The other topics discussed during the June 18, 2015 meeting are not included in this transcript excerpt.

The Public Company Accounting Oversight Board does not certify the accuracy of this unofficial transcript, which may contain typographical or other errors or omissions. An archive of the webcast of the entire meeting can be found on the Public Company Accounting Oversight Board's website at: http://pcaobus.org/News/Webcasts/Pages/06182015_SAG.aspx.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

PUBLIC COMPANY ACCOUNTING OVERSIGHT BOARD

+ + + + +

STANDING ADVISORY GROUP

+ + + + +

MEETING

+ + + + +

THURSDAY
JUNE 18, 2015

+ + + + +

The Standing Advisory Group met in the Federal Hall of the Washington Plaza Hotel, 10 Thomas Circle NW, Washington, DC, at 8:30 p.m., Martin Baumann, Standing Advisory Group Chairman, presiding.

PCAOB BOARD

JAMES R. DOTY, Chairman
JEANETTE M. FRANZEL, Board Member
JAY D. HANSON, Board Member
STEVEN B. HARRIS, Board Member

STANDING ADVISORY GROUP

MARTIN F. BAUMANN, PCAOB, Chief Auditor and
Director of Professional Standards, SAG
Chairman
JOAN C. AMBLE, President, JCA Consulting, LLC
HON. RICHARD C. BREEDEN, Chairman and CEO,
Breedon Capital Management, LLC
LORETTA V. CANGIALOSI, Senior Vice President and
Controller, Pfizer, Inc.
PETER C. CLAPMAN, Senior Advisor, CamberView
Partners, LLC
WALTON T. CONN, JR., U. S. Partner and Global
Head of Audit Methodology and
Implementation, KPMG LLP

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

WALLACE R. COONEY, Vice President-Finance and
Chief Accounting Officer, Graham Holdings
Company

MICHAEL J. GALLAGHER, Managing Partner, Assurance
Quality, PwC

SYDNEY K. GARMONG, Partner in Charge, Regulatory
Competency Center, Crowe Horwath LLP

KENNETH A. GOLDMAN, Chief Financial Officer,
Yahoo, Inc.

L. JANE HAMBLEEN, Former Chief Legal Counsel,
State of Wisconsin Investment Board

ROBERT H. HERZ, CEO, Robert H. Herz LLC;
Executive-in-Residence, Columbia Business
School, Columbia University

PHILIP R. JOHNSON, Former Non-executive Director,
Yorkshire Building Society

JOYCE JOSEPH, Principal, Capital Accounting
Advisory and Research, LLC

JEAN M. JOY, Director of Professional Practice
and Director of Financial Institutions
Practice, Wolf & Company, P.C.

GUY R. JUBB, Global Head of Governance and
Stewardship, Standard Life Investments, Ltd.

DAVID A. KANE, Americas Vice Chair, Assurance
Professional Practice, Ernst & Young LLP

JON LUKOMNIK, Executive Director, Investor
Responsibility Research Center Institute;
Managing Partner, Sinclair Capital, LLC

DOUGLAS L. MAINE, Limited Partner and Senior
Advisor, Brown Brothers Harriman & Co.

MAUREEN F. MCNICHOLS, Marriner S. Eccles
Professor of Public and Private Management
and Professor of Accounting, Stanford
University

ELIZABETH F. MOONEY, Analyst, The Capital Group
Companies

LIZ D. MURRALL, Director, Stewardship &
Reporting, Investment Management
Association

RICHARD H. MURRAY, CEO, Liability Dynamics
Consulting, LLC

ZACH OLEKSIUK, Americas Head, Corporate
Governance and Responsible Investment,
BlackRock

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

JEREMY E. PERLER, Partner and Director of
Research, Schilit Forensics

SANDRA J. PETERS, Head of Financial Reporting
Policy, CFA Institute

WILLIAM T. PLATT, Managing Partner-Professional
Practice, and Chief Quality
Officer-Attest, Deloitte & Touche, LLP

GREGORY A. PRATT, Chairman, Carpenter Technology
Corporation

SRIDHAR RAMAMOORTI, Associate Professor of
Accounting, School of Accountancy, and
Director, Corporate Governance Center,
Kennesaw State University

BRANDON J. REES, Acting Director, Office of
Investment, AFL-CIO

PHILIP J. SANTARELLI, Partner, Baker Tilly
Virchow Krause LLP

THOMAS I. SELLING, President, Grove
Technologies, LLC

CHARLES V. SENATORE, Executive Vice President,
Head of Regulatory Coordination and
Strategy, Fidelity Investments

JEFFREY L. TATE, Chief Audit Executive, The Dow
Chemical Company

SIR DAVID P. TWEEDIE, Chairman, International
Valuation Standards Council

JOHN W. WHITE, Partner, Corporate Department,
Cravath, Swaine & Moore LLP

OBSERVERS

BRIAN CROTEAU, Securities and Exchange
Commission

GINNY BARKER, Department of Labor

BOB DACEY, General Accountability Office

SUSAN DEMANDO SCOTT, Financial Industry
Regulatory Authority

HARRISON GREENE, Federal Deposit Insurance
Corporation

JAMES GUNN, International Auditing and Assurance
Standards Board

LARRY SMITH, Financial Accounting Standards
Board

BRUCE WEBB, Auditing Standards Board

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 PRESENTERS

2
3 JOUKY CHANG, Duff & Phelps
4 KEN LINING, Aon Hewitt
5 WENDY STEVENS, WeiserMazars LLP
6 J. EFRIM BORITZ, University of Waterloo
7 SUSAN DuROSS, Harvest Investments Ltd
8 ANDREAS OHL, PricewaterhouseCoopers LLP
9 DANIEL OLDS, Ryder Scott Company, L.P.

10
11 PCAOB STAFF

12
13 GREG FLETCHER, Associate Chief Auditor
14 GREG SCATES, Deputy Chief Auditor
15 JOY THURGOOD, Associate Chief Auditor
16 JESSICA WATTS, Associate Chief Auditor
17 KEITH WILSON, Deputy Chief Auditor
18

1 MR. BAUMANN: So we're ready to move to our next
2 section of the discussion, and that is addressing the
3 consultation paper that we issued and sent to SAG members
4 about three weeks ago on the auditor's use of the work of
5 specialists.

6 There are a number of slides that were in your
7 package that were sent to you that provided some background
8 at a high level about existing standards. In the interest
9 of time I'm not going to go through those. And that
10 material is just a summary, if you will, of what was in
11 the consultation paper on existing standards. But we're
12 going to move directly to the panel discussions and turn
13 it over to Greg Scates and team to do that.

14 First thing I'd like to say though is the technical
15 people have asked if everybody could please make sure that
16 they speak directly into the microphones for the benefit
17 of everybody, both here and listening. Thank you.

18 MR. SCATES: Thanks, Marty. First I'll give the
19 disclaimer that the views expressed by the presenters are
20 their own personal views and not necessarily those of the
21 PCAOB, members of the Board, or the PCAOB staff.

22 The agenda for using the work of a specialist, this

1 morning we will have a panel to discuss the company's
2 specialist. And we'll run to around noon or a little bit
3 after noon. You'll have a break for lunch and then after
4 lunch we'll have our second panel that will discuss the
5 auditor's specialist.

6 MR. BAUMANN: I'll just add the panel won't run
7 until noon. The discussion will run to noon.

8 MR. SCATES: What we'd like to do with this panel --
9 this panel again is focused on the company's specialist,
10 how they use the work of a company's specialist, how the
11 specialist performs that work, then how the auditor
12 evaluates the company's specialist as well as the findings
13 of the specialist. So that's the focus again of the
14 panelists' remarks.

15 And now what I'd like to do is introduce our panel
16 for the discussion of the company's specialist. First,
17 we have Loretta Cangialosi. She is a member of our
18 Standing Advisory Group and is Senior Vice President and
19 Controller of Pfizer, a Fortune 50 biopharmaceutical
20 company.

21 Next to Loretta is Jouky Chang. Jouky is a
22 managing director in the Washington, D.C. office of Duff

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 & Phelps, a global valuation and corporate financial
2 advisor. Jouky is a member of the Valuation Advisory
3 Services Practice.

4 Next we have Ken Lining. Ken is a consulting
5 actuary in the Chicago office of Aon Hewitt, a global
6 talent, retirement, and health solutions provider.

7 And then next to Ken is Wendy Stevens. Wendy is
8 a partner in the registered accounting firm of
9 WeiserMazars and is in charge of the firm's quality
10 assurance.

11 What I'd like to do is for -- they will provide their
12 remarks. And then if you'll hold your tent cards until
13 after the remarks, then we'll enter into a dialogue. So
14 I'd like to start our remarks with Loretta.

15 MS. CANGIALOSI: Good morning and thank you for
16 giving me the opportunity to discuss this important topic
17 with the SAG.

18 I am going to cover the use of specialists from the
19 financial statement preparer perspective, hopefully to
20 provide you with some insight into how preparers interact
21 with their specialists and what our interactions are with
22 our auditor specialists.

1 We are actively engaged in discussions with the
2 specialists we hire. I want you to just have a little
3 background. We are a very large company. We had revenues
4 of 49.6 billion last year. Our assets are 169 billion.
5 When we get to kind of things that would come under fair
6 value and valuation measures, we had financial assets at
7 a fair value of about 44 billion, intangible assets of 35
8 billion, goodwill of 42 billion, and employee benefit
9 obligations of 10 billion. So we have lots of fair value
10 flowing through and measures, financial measures.

11 So we actually have pretty routinely the
12 specialists and valuation consultants primarily for our
13 intangibles, goodwill, actuaries for the employee benefit
14 plans, and then third party pricing services, which is
15 really for our financial instruments.

16 I'm going to make this statement. Don't know
17 whether other companies should feel the same way, but I
18 will make this statement because in all cases Pfizer
19 management accepts responsibility for the preparation and
20 the fair presentation of our financial statements, and
21 Pfizer management takes ownership of the amounts and
22 values developed in consultation with our third party

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 specialists. So this is not a throw-it-over-the-wall
2 exercise where you employ a specialist and you never talk
3 to them.

4 In connection with our annual external audit
5 process we routinely interact with specialists employed
6 by our audit firm. We don't engage those specialists.

7 Our auditor uses specialists employed by their own
8 firm; so if you have looked at the diagram, that would be
9 Specialist No. 1, to assist with auditing the work
10 performed by specialists employed or engaged by our
11 company, who are Specialists 3 and 4 in the diagram. My
12 comments today will be limited to the use of our
13 specialists in the valuation of non-financial assets,
14 reporting units and businesses.

15 The first thing we've been asked to address is how
16 do we assess the specialist's skill and knowledge? Well,
17 when hiring a specialist, obviously we will review their
18 qualifications, not only their firm qualifications, but
19 of the professionals, the qualifications of the
20 professionals who they intend to have perform the work on
21 our engagement.

22 My apologies to Jouky, but I must confess that we

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 tend to engage valuation specialists at large public
2 accounting firms that do not perform our audit engagement
3 for two main reasons: One, they're credentialed in the
4 area and they serve as specialists to auditors in their
5 respective firms. That means that they have an
6 understanding of U.S. GAAP and what it requires and how
7 those valuations are different than, different kinds of
8 valuations for instance, a valuation that might be done
9 in assessing whether to purchase a business, very
10 different than this.

11 Two, we have used their services for many years,
12 so we tend to use the same group. We're well acquainted
13 with their methods and they are well acquainted with how
14 we work. This ensures that our specialists will use only
15 generally accepted valuation methodologies and have the
16 global reach that we need when we do global transactions
17 and that there's an appropriate application of U.S. GAAP
18 valuation principles. So those are the FAS -- sorry, I
19 don't know the ASC, but FAS 157 concepts.

20 During the procurement process and at the
21 initiation of each engagement we hold discussions with our
22 valuation specialists: the specific facts and

1 circumstances surrounding the engagement, the particular
2 issues that we believe will need to be addressed. For
3 example, the unit of account versus the unit of valuation
4 issues, unique assets or liabilities that we might have,
5 or think we have.

6 We look at the staffing plan to ensure that the
7 expertise and experience of the engagement team members
8 are well matched to the expected issues, and the existence
9 of alternative approaches and methodologies. So while we
10 look at credentials, we also try to understand do they have
11 a robust understanding of our industry, the pharmaceutical
12 industry, because it does have very specific issues with
13 valuing these kinds of assets, intangible assets.

14 And we also look at do they understand the life
15 cycle of pharmaceutical products? Because most of these
16 assets that we are attempting to value are constructed
17 based on 10 to 20-year forecasts into the future. So this
18 is a -- I have to say it's a subjective methodology. You
19 have to come up with a forecast on something that you don't
20 know. And as I've stated many times, the only thing I know
21 about a 20-year forecast right now is it will be wrong.
22 There is no way. I don't have a crystal ball.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Do they understand things like the probability of
2 technical and regulatory success? We call it PTRS in the
3 industry. That's quite important when selecting discount
4 rates and understanding. So there's a lot that goes on
5 in attempting to evaluate them.

6 I've been asked about what controls we have in place
7 around the work of a specialist and conflict of interest
8 issues. My organization, the controller's organization,
9 actually is responsible for the review of all inputs
10 provided to the valuation specialists, our specialists.
11 And we ensure that other functions within our company that
12 provide inputs: long-range forecasts, working capital
13 assumptions, have the proper documentation and support for
14 those inputs.

15 I want to be clear that my group is actually the
16 neutral zone. We have no bias one way or another. We are
17 not the business development people. We don't have to
18 prove that the deal is great. And we are not the business
19 people who might have to live with the results of those
20 valuations subsequently. So our only view is to try to
21 get to a right number.

22 We hold discussions with our valuation specialists

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 and the different colleagues who are providing inputs.
2 Lots of questions are asked. So you get together the
3 people with the professional specialty with the people
4 with the data. Make sure that everybody understands what
5 the data means.

6 We discuss with the valuation specialists the
7 methodologies, approaches, the application of certain
8 inputs to ensure that our company is using consistent
9 methodologies and approaches in our valuation efforts of
10 the same nature. We review the outputs of the valuation
11 specialists' work for reasonableness.

12 As far as conflicts of interest, we do consider
13 whether the firm may have conflicts of interest as a result
14 of any other work that they might be doing within Pfizer.
15 Again, we don't drive to any result. We just try to
16 understand what the inputs are, what the outputs are and
17 get to a number that is reasonable.

18 Because we're getting to a point estimate in a
19 process where there is an inherent likelihood that there
20 is a range of reasonable amounts because of the 20-year
21 forecast, discount rates and many, many assumptions, like
22 I said, the probability of technical success, Pfizer

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 scientists may evaluate that differently than
2 Bristol-Myers scientists might. So you could come up with
3 different numbers. And we just try to understand if there
4 are biases, what the basis of changes are in forecasts so
5 that we better understand and can reconcile those views.

6 I've been asked to address what do we do with the
7 specialists' work? Okay, once the work is complete and
8 we have reviewed the outputs from our own specialists, we
9 review the completed model, the outputs, methodologies.
10 We reach out to both the valuation specialist and our
11 internal colleagues responsible for the inputs to resolve
12 any questions and ensure that we have the proper
13 documentation. So documentation is very important in
14 this exercise because you're going to live with these
15 values for a long time.

16 We ensure consistency, as I said. To further test
17 the outputs produced we discuss the outputs with the
18 valuation specialists that we employ and ask that
19 sensitivity analyses be performed on critical assumptions
20 so that we can understand what changes in discount rates
21 do and how sensitive they are to these factors.

22 Finally when the work of the valuation specialist

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 has a significant impact on our financial statements; like
2 if we did a multi-billion dollar acquisition, the type of
3 work performed is a non-recurring nature, we will receive
4 active or written confirmation from the various functions
5 in our company that own the assets and liabilities valued
6 that there is agreement throughout the company that the
7 final inputs and outputs are reasonable and reflect the
8 best information. There's a lot that goes on.

9 We have also been asked to address question 6B in
10 the paper. Just for a reminder, they asked whether figure
11 1 in section 2A accurately describes it and if it's
12 inclusive. We believe the list is inclusive of the main
13 activities where an auditor uses the work of a company
14 specialist. Again, our accounting firm uses their own
15 internally-employed valuation and actuarial specialists
16 for all except very small transactions where they will use
17 the work of our specialists and review it.

18 We've been asked on question 8 -- this is --

19 MR. SCATES: No, Loretta, why don't we move on to
20 the next one maybe?

21 MS. CANGIALOSI: Okay.

22 MR. SCATES: Okay. Yes.

1 MS. CANGIALOSI: Okay. Fine.

2 MR. SCATES: And some of those we can respond to
3 with the questions.

4 MS. CANGIALOSI: Very good.

5 MR. SCATES: Okay. And next we have Jouky Chang.
6 The rest of the panelists, if you can, keep your comments
7 to about five to seven minutes, your remarks. Then we'll
8 move on.

9 Jouky?

10 MR. CHANG: Great. Thank you, Greg, and thank you
11 for having me here today.

12 So, I'll take this opportunity to quickly outline
13 the processes that we at Duff & Phelps have put together
14 for the successful execution of the thousands of valuation
15 engagements that we perform each year.

16 And before I do so, though, I thought I'd take a
17 minute to kind of share with you a little bit about who
18 we are and how we are organized. We're a global valuation
19 and corporate finance advisory firm. We have over 2,000
20 professionals around the world in more than 70 offices.
21 In 2014 we performed more than 7,500 engagements for 3,000
22 clients of which over 40 percent were S&P 500 and 80 percent

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 of the largest hedge funds and private equity funds. We
2 are, we believe, one of, if not the largest provider of
3 independent valuation services.

4 Now, our professionals possess skills in a broad
5 range of expertise in areas of valuation advisory,
6 corporate finance, dispute and legal management
7 consulting, compliance and regulatory consulting and tax
8 services. As Greg mentioned, valuation advisory is the
9 unit that I reside in and it is also our core business.

10 Now valuation advisory includes traditional
11 corporate valuation products such as purchase price
12 allocations, goodwill and intangible asset impairments
13 and tax evaluations. It also includes alternative asset
14 advisory, real estate valuations, and fixed asset
15 management and insurance solutions.

16 So how do we ensure then that each engagement is
17 executed successfully with appropriate rigor? Well, our
18 process starts with a cross-functional organization
19 structure. First, our industry program focuses our
20 efforts into seven industry verticals each of which is led
21 by a seasoned managing director. The industry leader's
22 role is to ensure that we bring together teams that match

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 the particular needs of our clients and that understands
2 their business, their drivers of value and the issues that
3 they face.

4 Second is the involvement of our product line
5 leaders in ensuring best practices are utilized by the
6 engagement teams. The product leaders and the entire
7 valuation advisory practice are supported by our Office
8 of Professional Practice, which we call OPP. And OPP is
9 our version of the National Offices of the Public
10 Accounting Firms.

11 Now, OPP is comprised of senior level professionals
12 that support engagement teams on a myriad of technical
13 valuation issues. Members of OPP also serve, observe
14 and/or advise regulators and standard-setting bodies on
15 valuation issues and best practices. Further, the office
16 is responsible for the development of training materials
17 for our staff, as well as the publication of various
18 technical titles.

19 We have also designed systems and procedures to
20 maintain the independence and objectivity, to identify
21 potential conflicts and protect confidentiality. For
22 example, our Compliance Department oversees the personal

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 investment policy that restricts trading the securities
2 of publicly listed clients and prospects of Duff & Phelps.
3 It also manages the information barriers that restrict
4 access to and maintain protection of client data. And
5 administers the document retention policy to ensure
6 adequate record keeping of all engagements.

7 And our Office of Risk Management identifies,
8 evaluates, and mitigates financial, reputational, and
9 regulatory risk that is inherent in our day-to-day
10 operations. Specifically ORM identifies and evaluates
11 engagement risk, promulgates and implements related
12 policies and procedures, and assesses compliance with its
13 directives.

14 At the engagement level each engagement is led by
15 an engagement managing director that is responsible for
16 all aspects of the engagement. A concurring MD with the
17 requisite industry and technical experience performs
18 important oversight duties throughout the engagement.

19 Now in the context of an M&A transaction where we
20 assist management with the acquisition method of
21 accounting for business combinations, this would be a
22 multi-discipline team most often comprised of

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 professionals that specialize in valuations of business
2 interests and intangible assets, real property, and
3 personal property. For transactions that involve complex
4 securities and contingent consideration arrangements our
5 in-house derivatives and financial engineering
6 specialists would also be part of the engagement team.

7 The team will then develop and execute a work plan
8 that befits the project requirements. The work plan for
9 a purchase price allocation engagement would include
10 elements that Loretta has already covered in some extent.
11 It includes meetings with management to understand the
12 purchase consideration that was paid, the rationale for
13 the acquisition, the important attributes of the
14 transaction. All of these will facilitate the
15 identification of the assets and liabilities that may
16 require valuation and a clear delineation and definition
17 of the scope and responsibilities. Meetings with the
18 audit team to ensure agreement on the scope of services
19 and the evaluation approaches and procedures to be
20 employed, meetings with leaders or representatives of the
21 business units to discuss the engagement process, timing,
22 involvement of personnel, and other project management

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 issues and hosting weekly status calls with appropriate
2 company and audit team personnel to review engagement
3 progress and address any challenges and obstacles that
4 have come along the way.

5 And during the course of our work we will have
6 significant interaction with the company's finance,
7 accounting, and tax departments. In addition, we will
8 also meet with personnel from corporate development, sales
9 and marketing, research and development, operations and
10 maintenance, and investor relations. These interviews
11 allow us to better appreciate the attributes of the subject
12 assets and liabilities and assess the relevance of the
13 information provided by management. That assessment is
14 also informed by our research into market expectations for
15 the subject assets and liabilities. That is to say, we
16 will independently test the reasonableness of
17 management-provided projections and assumptions against
18 relevant market data and our industry experience.

19 As the team performs the analyses there will be
20 numerous discussions with management to vet the
21 significant underlying assumptions. This step ensures
22 that our team has properly interpreted the information

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 provided by management or for management to understand the
2 basis for the assumptions being applied. Further, it is
3 important for management to be fully informed as to a
4 valuation process and conclusions so they can take
5 appropriate ownership and responsibility for the
6 preparation of their financial statements.

7 Let me turn a little bit now lastly, to our quality
8 control process. The quality control process at Duff &
9 Phelps is imbedded into our overall work plan. It starts
10 with the careful selection of key personnel with a need
11 of product and market disciplines that understand not only
12 the subject assets or liabilities, but also the
13 appropriate methods and market factors to take into
14 consideration in their work.

15 During the project execution phase we use industry
16 best practice guides as a primary reference to maintain
17 the consistency and quality of our valuations. Further,
18 we will model varying scenarios and alternative
19 assumptions to assess sensitivities and key valuation
20 parameters.

21 Our executive review process by MDs and directors
22 pays close attention to market comparable intelligence,

1 unique asset or liability attributes, and the
2 sensitivities that are modeled by our colleagues before
3 forming their tentative conclusions. The models are also
4 subject to at least one full tick and tie validation by
5 Duff & Phelps professionals that are independent of the
6 engagement team.

7 Before our work product is shared with the client,
8 an independent review of the analysis and findings is
9 conducted by the concurring MD. The review includes an
10 assessment of work paper defensibility, comparability to
11 and consistency with other engagement work products, an
12 assessment of the market conditions considered, and the
13 uniqueness of the asset or liability.

14 A work product is then released to and reviewed by
15 the company and its audit team. The work product is
16 presented in the form of a written report accompanied by
17 supporting exhibits. Our experience shows that the
18 combination of auditor involvement at the onset and
19 throughout the engagement, the contents of the written
20 report and the exhibits, and our responses to the auditor's
21 queries has provided auditors with sufficient basis upon
22 which to sign off on our work.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 I've probably exceeded my allotted time, but let
2 me just note that our work with alternative asset managers
3 follows similar processes as we validate management's
4 estimates of fair value.

5 So, I hope this overview has been informative to
6 you on the role of a company specialist. The breadth of
7 practice demonstrates the assistance we provide to
8 companies as they seek to obtain best practice valuation
9 assistance and we look forward to the discussions that
10 follows.

11 MR. CHANG: Thank you, Jouky. Now, let's turn to
12 Ken Lining.

13 MR. LINING: Thank you, Greg, and I appreciate the
14 opportunity to be here in front of this distinguished group
15 today to talk about the role of the actuary as a company's
16 specialist.

17 So I've been in this profession now for over 30
18 years. I'm a member of the American Academy of Actuaries,
19 which subjects me to certain continuing education
20 requirements, and also we have a discipline structure set
21 up for certain types of dealings.

22 The role of an actuary really can be summarized I

1 think as a business professional who measures risk.
2 You've heard -- my colleague here has mentioned employee
3 benefit plans. So, we primarily, in the pension area is
4 calculate what the liabilities are that the company, you
5 know, must, first of all fund, and then, second of all,
6 record on their balance sheets and annual expense.

7 So, you know, we're working with liabilities.
8 We're using mathematical and finance principles to make
9 these calculations primarily free of interest and what we
10 call life contingencies. And then we make these
11 calculations in accordance with applicable laws, so on the
12 funding side in the U.S., we follow the Internal Revenue
13 Service ERISA requirements. On the accounting side,
14 we're looking to the FASB. And if we're making
15 international calculations, the IASB to make those
16 calculations. In general, we are specialists engaged by
17 the company, so we're independent and objective.

18 So, my outline is really is three points. No. 1,
19 the work that we perform; No. 2, the education, skills,
20 and quality controls; and then No. 3, our role as a
21 company's specialist.

22 So, as you probably know, there are many types of

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 actuaries. There's life insurance actuaries, property
2 and casualty actuaries, health benefit actuaries. And
3 then what I do is in the pension and post-retirement. So
4 it'd be defined benefit pension plans, what are called
5 retiree medical and life insurance plans that pay those
6 types of benefits to folks after they have retired from
7 a company. We perform a very wide range of work, and we
8 have many stakeholders that are involved in the products
9 that we deliver.

10 In terms of education, there's a lot of educational
11 requirements: skills, certifications, ongoing continuing
12 education requirements.

13 In terms of quality control, my experience is that,
14 you know, my firm and all the other firms I've worked for
15 in my career take this very, very seriously. We do our
16 work, check our work, have it reviewed. And then in
17 working for different companies, we are typically retained
18 to do the types of calculations that require our special
19 knowledge and skill. And of course in the course of our
20 work we also do our best to stay alert for potential
21 conflicts of interest.

22 So go next slide. So, a little bit more on the work

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 that we perform. Actuaries in terms of myself, for
2 example, we're under the Joint Board with ERISA, which is
3 the Department of the Treasury, which then gives us the
4 ability to make the calculations regarding the funding,
5 the cash funding the companies have to make for their
6 plans. So they have to make annual filings via Form 5500.
7 We certify to the contributions and to those calculations.

8 Secondly, we make the accounting calculations that
9 are needed for balance sheet disclosure and for income
10 statement expense. These are reviewed by a lot of
11 different stakeholders: stock and bond holders,
12 regulators, rating agencies. And then also there are many
13 other types of work that actuaries perform that are not
14 necessarily as -- this group may not be as interested in
15 those. For example, things like certain benefit
16 calculations, non-discrimination testing requirements.
17 But there is a wide range of things that we do perform.

18 One of the things I wanted to point out was that
19 we typically do get involved with some of the corporate
20 transactions: mergers and acquisitions, due diligence.
21 You've already many of our colleagues have mentioned
22 things like purchase accounting and business

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 combinations. And we are typically with those types of
2 things as well, those types of calculations.

3 Regarding education and skills, it's a long road.
4 Most actuaries; not all, but most have college degrees in
5 areas like actuarial science, mathematics, computer
6 science, probability and statistics. Then there is
7 ongoing work experience that's required to be performed
8 under a supervising actuary. In addition, I mentioned the
9 continuing education requirements. We have to be
10 qualified to issue what's called a Statement of Actuarial
11 Opinion, which requires annual certification.

12 Our work is peer-reviewed by other actuaries
13 including perhaps some committees when we're perhaps
14 outside of a guideline on a particular assumption. So in
15 general we take our work very seriously and do our best
16 to perform both on the behalf of the participants, the
17 companies, and then the other interested parties that are
18 stakeholders.

19 So when we get to our role as a company's
20 specialist, as I mentioned, we're performing the
21 accounting calculations that govern the profit and loss
22 statement and the balance sheet disclosure. Companies

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 typically will select their assumptions with input from
2 their actuaries and then concurrence from their auditors.
3 So the actuaries will generally have to certify the results
4 that they're providing for balance sheet disclosure
5 purposes. Therefore, we have a responsibility to
6 document our work, to provide reconciliations of changes
7 and things like liabilities, assets, and also to quantify
8 the main factors that can cause variances from year to year
9 in the actual versus expected results.

10 Because we're making forward-looking assumptions,
11 there are always going to be variances from the actual
12 experience to the expected. And companies and other
13 interested parties typically want to know the main drivers
14 of those. For example, is it a discount rate change, a
15 mortality table change? Did the assets perform better or
16 worse than expected?

17 And then actuaries are considered as trusted
18 business partners in many situations to assist companies
19 with things like M&A, union negotiations, and other types
20 of accounting transactions.

21 It is important that we maintain awareness for
22 potential conflicts of interest. For example, I was

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 involved a couple years ago where I had a client that was
2 looking at an acquisition and it just happened that the
3 actuary for the other party was also employed by the same
4 company. So we set up a Chinese wall and provided
5 applicable disclosures of our objectivity and
6 independence for each of us.

7 To specifically comment on the questions in the
8 staff paper, there was a question 6B, is that diagram
9 accurate? And we think yes, qualified actuaries should
10 be calculating the pension and OPEB obligations for
11 companies.

12 If an auditor has access to models, is the access
13 sufficiently detailed? Actuaries generally use
14 proprietary systems, however, they will provide
15 illustrative models to auditors if needed. The more
16 typical situation is 8B where the auditors will review and
17 test select items, critical assumptions, reconciliations,
18 asset statements and those kinds of things.

19 Is it appropriate for the auditor to consider the
20 knowledge; that's question 14, and experience and skill?
21 Yes. And note that we're typically required to certify
22 to this assumption.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 Then question 15, how do auditors obtain an
2 understanding? The company specialist actuaries will
3 typically invest time helping the auditors understand the
4 material effects of various assumptions, the
5 sensitivities to the various assumption changes.

6 And I want to close with a comment that many of the
7 large accounting and auditing firms will employ their
8 in-house actuaries. So the question was raised right
9 before the break about the auditor then having their own
10 specialist. We find this is a very common thing to happen.
11 So there are a lot of issues and discussion we can have
12 around that. We can pick that up maybe in the questions.

13 MR. SCATES: Thank you, Ken. Now, we'll turn to
14 Wendy.

15 MS. STEVENS: Okay. So, I have the dubious
16 distinction of being the only thing before you get to ask
17 your questions, so I will try to be as quick as possible.

18 I thank you to the chief auditor of the PCAOB and
19 his staff and the PCAO Board for the opportunity to
20 participate on this panel today. The views I express
21 today are my own and not necessarily those of WeiserMazars,
22 LLP, despite my frequent use of the word "we".

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 For those of you who are not familiar with
2 WeiserMazars, we are an accounting and advisory firm with
3 approximately 700 professionals and over 100 partners in
4 the U.S. We are also an independent member firm of the
5 Mazars Group International Network and a member of the
6 Praxity Global Alliance of Independent Firms. We provide
7 services to clients in a variety of industries. Currently
8 the largest segments we serve are manufacturing and
9 distribution and financial services. Our issuer and
10 broker/dealer clients would be characterized as smaller
11 businesses and primarily operate in these two segments.
12 We must comply with the PCAOB auditing standards in
13 performance of these engagements, and they're also subject
14 to the applicable rules and regulations of the SEC.

15 At WeiserMazars we strive to have the professionals
16 in place with the knowledge and experience to audit in
17 these industries. We operate with a high level of focus
18 on continuous improvement and quality. We are constantly
19 providing training to deepen our auditor skills and their
20 ability to audit the more complex areas of our clients'
21 financial statements. We evaluate the performance of our
22 partners and professionals in terms of technical depth and

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 adherence to the firm's quality policies and procedures.

2 We fully support the work of the PCAOB in its
3 efforts to enhance audit quality in order to provide
4 investors and other financial statement users with
5 increased transparency and financial reporting. We also
6 appreciate very much the outreach currently taking place
7 with regard to the use of specialists and auditing
8 estimates and fair value measurements.

9 We encounter both management-employed and engaged
10 specialists within our practice. The staff's
11 consultation paper accurately addresses the circumstances
12 that specialists are used. We compiled this list in terms
13 of frequency. And I'm not going to read the list.

14 So how do we address use of a company specialist?
15 During the planning phase of an engagement, among other
16 things, we identify risks and assess whether these risks
17 could result in material misstatement of the financial
18 statements. As it relates to accounting estimates, this
19 consideration includes but is not limited to the nature,
20 method of computation, controls in place, who prepared the
21 estimate, as well as complexity, subjectivity, and
22 uncertainty inherent in the results. Our initial planned

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 audit response is designed based on the synthesis of all
2 this information and the procedures are determined based
3 on the significance of the risks identified. This
4 includes preparer risk.

5 Specifically, if a specialist is involved, we
6 consider the relationship of the specialist to the client,
7 the qualifications -- and now that you both talked about
8 quality control, we might actually ask those questions in
9 the future, because I think they are critical as to how
10 we actually would use the specialist results -- the methods
11 used in the current year and as compared to the prior year,
12 the objectives, scope, and assumptions used, and our
13 ability to test the source data. Our audit response would
14 be altered if we are not satisfied with the responses and
15 the results either in planning, during, or when we are
16 concluding our audit.

17 Our application of the existing use of specialist
18 standards sometimes does result in more rigorous
19 procedures when our evaluation of risk warrants a more
20 extended approach. We do however recognize there is room
21 for improvement in the current auditing standards that
22 address the use of specialists.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And I do want to make a point about management and
2 audit committees. They should continue to have the
3 ultimate responsibility for accuracy and reliability of
4 estimates used in financial reporting. Auditors are
5 required to come to certain conclusions with regard to the
6 assertions underlying the financial statements, so any
7 revisions should not remove or change where the
8 responsibilities lie. Revisions to the existing
9 standards and complementary guidance and/or FAQs should
10 avoid any unintended consequences that would limit
11 responsibility of any of these parties or
12 disproportionately move the responsibility to the
13 auditor.

14 Limiting the ability to use auditor's judgment to
15 rely on a company specialist may not result in measurable
16 improvement to audit quality, but will likely result in
17 additional cost. Taking the judgment away from auditors
18 may in fact also have the unintentional consequence of
19 reducing the focus and tenacity by which auditors and
20 possibly management and/or the audit committee challenge
21 the most complicated and risky computations. We believe
22 there may be cases where recomputation by another

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 specialist is required, but view this to be subject to the
2 auditing process in evaluation of risk, appropriate audit
3 response, and evaluation of the relevance and reliability
4 of audit evidence.

5 In closing, it should be clear we support
6 improvement in the current principle-based standard for
7 use as specialists. We recommend clarity in the
8 definition of specialist, greater alignment with other
9 existing standards in use by auditors and PCAOB guidance
10 and/or FAQs issued to support effective implementation of
11 the revised standard. Thank you.

12 MR. SCATES: Thank you, Wendy. And thank you, all
13 the panelists.

14 Before we get into the discussion and questions of
15 panelists, I'd also like to remind the SAG members that
16 we had two alternatives that were discussed in the
17 consultation paper. The first alternative with respect
18 to using the work of a company's specialist was with
19 respect to should we amend 336? And when we say "amend,"
20 it would be removing certain provisions that we consider
21 to be limiting the auditor's responsibilities.

22 The second alternative would be to completely

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 rescind 336, and then this would require the auditor to
2 evaluate the evidence that's provided by the company's
3 specialist just like the evidence that's provided by
4 others within the company.

5 So those are the two alternatives that are
6 discussed in the paper, we'd like to get your views on that
7 as well as other questions you have of the panelists. So
8 I'd like to open it up now for discussion among the members
9 of the Standing Advisory Group if you have questions of
10 the panelists or have comments on these two alternatives.

11 MR. BAUMANN: Jeremy Perler?

12 MR. PERLER: Thanks. I was interested to read all
13 this, and thank you for all the comments. I mean, the one
14 question that stuck out in my mind was why would auditors
15 cede the responsibility over appropriateness and
16 reasonableness on any very sensitive estimates,
17 particularly the most sensitive estimates? I understand
18 the logistics behind what goes on now, but I was in reading
19 it, I seemed to be in favor of Alternative 2 and just bring
20 that responsibility over to the auditors.

21 MR. BAUMANN: Can you expand on that a little bit,
22 Jeremy?

1 MR. PERLER: The financial statements are full
2 of -- I mean, every line in the financial statement is an
3 estimate. Depreciation is an easy one. Useful life is
4 an easy estimate, and you can look historically. But
5 estimates on future -- on valuation of asset and
6 liabilities are based on future variables that I recognize
7 need specialists to opine on. But particularly in the
8 case of a company engaged or employed specialists for the
9 auditor to not have significant judgment on the
10 reasonableness of it, I think that there is a significant
11 amount of risk of material misstatement in the process.

12 MR. BAUMANN: Thanks. Sri Ramamoorti?

13 MR. RAMAMOORTI: I appreciated Wendy's question at
14 the end about seeking clarity on the definition of who a
15 specialist is. And it has always bothered me that beyond
16 the fact that the international standards would offer them
17 as experts and we in the U.S. tend to call them specialists.
18 There is this fundamental question of we need to know who
19 is hiring whom for what purpose.

20 And so, from the academic literature on expertise,
21 we talk about two types of experts. There are substantive
22 experts, and there are normative experts. So substantive

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 experts are very goal-oriented and domain-specific and
2 applications-relevant. Normative experts are subject
3 matter experts and they're more process-oriented. And
4 what that leads to is an example that will probably clarify
5 what I'm trying to say here.

6 So you could have technology for tax accounting
7 where tax accounting is the substantive domain and
8 technology is the helper or the specialist in the way we're
9 using it. But when you talk about tax accounting for
10 technology, now it is technology that's the goal, that's
11 the domain, and the expert or the specialist is the tax
12 accountant.

13 So the problem I'm having with the definition right
14 now of specialist is that it says these are folks who have
15 expertise outside of accounting and auditing, and that's
16 not really true. Because there could be accountants and
17 auditors who could serve in the capacity of specialists
18 where the domain is other than accounting and auditing.

19 MR. BAUMANN: Maybe you could expand a little bit
20 more on that point you're trying to make. Is it that you
21 want to include other parties in the list of specialists?

22 MR. RAMAMOORTI: No, no, no, no. All I'm saying

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 is that we need to understand what kind of expertise is
2 being called for and who is serving whom. So in the sense
3 who is the core? Who is the goal here for which we are
4 doing this? So to the extent we're talking about
5 financial reporting being the domain, anything else like,
6 you know, statistics or computers or, you know, valuation,
7 anything outside, sure, you know, they all are the
8 normative experts because they're all functional
9 process-oriented, et cetera.

10 But where you have technology, let's say, as the
11 domain for which tax accounting is the specialist, then
12 when Google would hire a tax accounting consultant to do
13 some of their kind of valuations or whatever from a tax
14 perspective, the Google folks are the substantive folks
15 and the tax accountants are the specialists because the
16 domain has changed. It's not accounting. And so to the
17 extent we say in the standard that this is specialists as
18 in people who are outside of accounting and auditing,
19 that's not technically correct because there are other
20 applications in which accountants and auditors could be
21 the specialists.

22 MR. BAUMANN: Okay. Thank you very much for that

1 insight.

2 Liz Murrall?

3 MS. MURRALL: Thank you, Marty. In considering
4 whether or not auditors could rely on the work of company
5 specialists, the auditor is to gather adequate audit
6 evidence to form an opinion on the financial statements.
7 And I think as investors we do have concerns that the
8 engagement relationship with the company, with the company
9 specialist, whether that specialist is engaged or
10 employed, does create a threat to their independence.

11 And I actually agree with the staff paper which says
12 that auditors should evaluate in the same way as other
13 information provided to the company. I think it's very
14 important to distinguish between auditors' employed and
15 engaged specialists and companies' employed and engaged
16 specialists. And in particular, in following that model
17 it's also consistent internationally with ISA 620. And
18 I think that's very important investors invest
19 internationally, and companies are international and can
20 two listings.

21 MR. BAUMANN: I think Guy teed up a question before
22 lunch, and Jeremy's comment and your comment follow up on

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 that, which is the question really was does the auditor
2 have to understand the models and methods that were used
3 by this company specialist? And I think we can go back
4 to the, maybe to Wendy or to the group here to talk about
5 how proprietary their work is.

6 But I think the point that's being made is if some
7 of their work is proprietary and, there's a black box that
8 the auditor's not looking into, you're questioning is that
9 acceptable for the auditor, therefore, to accept the
10 results of that work as audit evidence if the auditor
11 doesn't really understand maybe what was calculated inside
12 that black box.

13 Is that the essence of your question, and yours,
14 Jeremy, and yours earlier, Guy?

15 MR. JUBB: Yes, with the addition in my question
16 related also to where it was relating to the black box used
17 in a subsidiary company that may not have been audited by
18 the lead auditor.

19 MR. BAUMANN: So I think there's common questions
20 there that maybe we can give back to the panel and to Wendy
21 in terms of an auditor using that. I think Doug's card's
22 up, but if you don't mind, I'm going to go to Jeanette

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Franzel and ask Jeanette.

2 MS. FRANZEL: Thanks, Marty. I do have a
3 follow-up question for Wendy, if you could elaborate a bit
4 on something you brought up. You mentioned that, based
5 on risk and other circumstances, you may make a
6 determination that you need your own auditor's specialist
7 to go out and review what management specialists did.
8 Could you elaborate a little bit more on what kind of a
9 scenario, you know, that would represent? And then what
10 procedures would your specialist do then to review
11 management specialists' work?

12 MS. STEVENS: Do you want me --- can I answer it
13 now? Yes? Okay, because I'm still a little confused on
14 the first couple of questions that were asked, so I'll
15 answer Jeanette's question first.

16 When we go through the planning process; and so I
17 will use what Loretta was talking about and all of the
18 things that she does, we will understand the process that
19 takes place. And I'm going to specifically narrow it down
20 to let's say business combinations so that we can be
21 talking about a specific example.

22 So we will go through with the client what they did

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 in terms of gathering the data, what their part of it was,
2 what the specialist's part of it was. There's a big
3 difference between what Loretta describes and what she
4 owns and what her input into the process is; and I mean
5 you and your group, versus when we -- and we encounter this
6 often with a smaller company where they basically just
7 off -- and now I'm talking about they've hired a specialist
8 and they have provided all the information.

9 So there's not a lot of -- I won't use the word
10 "independence," because that's probably overused, and
11 that's not the right word to use, but they don't own the
12 knowledge, internally, before they go hire the specialist.
13 So we would be much more skeptical of the objectivity.
14 Okay? So there's one circumstance.

15 Another circumstance in that same scenario is we
16 have an unsophisticated client and we perhaps don't have
17 them hiring Duff & Phelps. It's a valuation expert that
18 was chosen for the least cost, because that's usually a
19 red flag to us that maybe they don't possess the
20 qualifications. And, you know, the point I made earlier
21 about the quality control at the specialists had come up
22 when we were vetting it internally. That would be quite

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 important to us. So, we may ask that question in the
2 future.

3 But in the past, although we didn't specifically
4 ask that question, I would say if we were skeptical on the
5 quality, we won't spend a lot more time trying to figure
6 out if the specialist -- we do have the in-house expertise,
7 and we would probably pair them up with the specialist and
8 the client. And he may or may not rerun it. The inputs
9 also become very critical, and that's where the
10 sensitivity analysis comes in. We may suggest that the
11 specialist do it, or we may do it.

12 But there's a number of circumstances. And again
13 it comes down to your overall judgment, the materiality,
14 how risky the range is. But that's a couple of examples
15 of where we might hire our own specialist or use somebody
16 in the firm.

17 The other questions about the expectation gap, I
18 want to say, of what the auditor does versus what the
19 specialist does, from my perspective when I was an auditor
20 and the partner signing the opinion, there would not be
21 a circumstance where I would off-load my responsibility
22 to understand the risks inherent in an estimate from an

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 auditing perspective to a specialist because I was
2 comfortable with their competence and some ticking and
3 tying of inputs and outputs. To me, and it falls under
4 other standards, but it is not different from the tax
5 provision.

6 Now that I'm on the other side in a compliance role,
7 I have had circumstances where I've had issues or questions
8 on the tax provision and the partner might say to me, which
9 is unacceptable, well, that's not my responsibility.
10 It's the responsibility of the tax partner. And I will
11 tell you the engagement team still has ultimate
12 responsibility for understanding the assertions within
13 the financial statements. And from an auditor's
14 perspective, what's most important is risk ranking them.
15 So if the area of the estimate that a specialist was used
16 is not that inherently risky, we wouldn't spend the same
17 amount of time as if there's a wide range of possible
18 outcomes. So, I hope that addressed some of the
19 questions.

20 MR. BAUMANN: Let me just follow up on that, if I
21 may. What you're saying, I think, was; and it sounds good,
22 that you, as the audit partner, conclude that the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 assumptions that the management specialist used are
2 reasonable and you conclude that the methods and their
3 calculation and their models -- you know enough about it
4 so you've concluded that's reasonable so you can then
5 evaluate that estimate. I think that's what you've said.

6 MS. STEVENS: That's correct.

7 MR. BAUMANN: So, and if that's the case, that's
8 good, but I think some people here should understand that
9 that's I believe beyond what AU 336 might require.

10 MS. STEVENS: Only in the circumstance that we have
11 a judgment that we're not comfortable with what was
12 presented to us.

13 And I also want to make one other comment. Based
14 on my conversations with a lot of audit teams, we do not
15 encounter a lot of pushback on being able to speak to the
16 specialist or the underlying methods, assumptions, what's
17 referred to as proprietary. We believe we've had full
18 access to everything that we've needed to be able to come
19 to our audit conclusions.

20 MR. BAUMANN: Yes, so again I would just say I think
21 that sounds very good. And it's not bad that it's beyond
22 AU 336, because we think AU 336 -- at least we're teeing

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 up in this consultation paper that the auditor should audit
2 the evidence produced by the specialist or my management
3 in a similar way. And it sounds like you're auditing it
4 in a similar fashion. You're gaining an understanding of
5 methods and models, assumptions and concluding on the
6 reasonableness of that. And that would lead me to say to
7 meet your baseline our standards should be elevated to your
8 baseline.

9 MS. STEVENS: The only difference I think in what
10 you're saying and what I'm saying is I think we are
11 following the standards, and I think the standards allow
12 you to go further should the circumstances suggest. So
13 we don't think that should be mandated. We think that only
14 in the circumstances where we are skeptical on the results
15 would we take it further.

16 MR. BAUMANN: I understand. Okay. Thank you.

17 I think Jay Hanson's card is up. Let me take Jay.
18 Doug, you're definitely next.

19 MR. HANSON: Well, I've got a question I really
20 want to pose to some of the auditors, and I'll let Wendy
21 off the hook and maybe focus on a larger engagement. One
22 of the alternatives in the staff consultation paper is

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 treat anything you get from management the same. So I
2 think -- and it's been a long time since I've actually been
3 in the field as an auditor, but as a young auditor you learn
4 how to deal with accounts receivable, for example. That
5 you get the listing from your client. You do something
6 to make sure the listing actually adds up to the number
7 at the end, you reconcile it to the general ledger, you
8 select items to test, you send confirmation letters, you
9 test the aging, you test the accounts related to that. And
10 so that's within the skills of an auditor.

11 So, in contrast; I'm going to look at Ken here,
12 let's say that Ken's firm performed an actuarial valuation
13 on a defined benefit pension plan covering 15,000 people
14 at a given company. I know what I used to do when I'd get
15 that valuation report, and I can imagine what happens
16 today, but I'm just wondering for the major firm
17 representatives especially if they could maybe give us a
18 practical illustration of the difference or the types of
19 things that would be required to do that, treat it the same
20 as if it was that list of accounts receivable and test it
21 in the same way that an auditor tests that list of something
22 they do have the skill set to do, what it would actually

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 take to essentially re-perform what Ken's firm had done
2 on that actuarial valuation.

3 MR. LINING: So is this for me to answer?

4 MR. BAUMANN: Who wants to go first? I think he
5 was asking some of the auditors in the audience if any of
6 them -- Bill or Mike or Sydney or anybody wants to take
7 the microphone.

8 MR. GALLAGHER: I'm happy to take a shot, and Bill
9 can correct me if I go off track.

10 So, Jay, I think the way we would look at it is
11 obviously everything is done in the context of the relative
12 materiality and risk of the estimate, but you would look
13 at the quality of the expert. What's their professional
14 reputation? You'd look at potential independence
15 factors. Are they truly coming in and independent? For
16 example, if the company that they're doing work for is one
17 of 10 clients or is their largest client and represents
18 50 percent of their billings, that's probably something
19 that would catch our attention.

20 If they're one of thousands of clients and strong
21 professional reputation and the like, independent in every
22 other way, we would look at certainly the information

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 provided to the expert, because it's garbage in/garbage
2 out. I'm probably violating the rule about not talking
3 into the microphone. And so making sure that they have
4 the right information on which they can perform the
5 calculations pursuant to their expertise. You would look
6 at whether the numbers are reasonable. You'd look at the
7 history. How close have they been in the past based upon
8 historical information as a sense as to how accurate
9 they've been, how good they've been.

10 We --- and the large firms typically -- when you're
11 talking about actuaries, we typically have our own
12 actuaries on staff. And so you have expert-to-expert
13 conversations. So everybody's kind of talking the same
14 language. I think that the issue that we're talking
15 about; and Marty teed it up and others as well, is what
16 does that mean in terms of going into the black box and
17 how much detail do you get into going into the black box?
18 And that level really depends I think on everything that
19 I just spoke about. What's the risk? What's the history?
20 What's the reputation and quality of the outside experts?
21 And you could get a different answer depending on the
22 answers to those earlier questions.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 So maybe not a terribly fulfilling answer, but it
2 depends on facts and circumstances. But hopefully that
3 helps.

4 MR. HANSON: And Mike, just to clarify, were you
5 just giving a rendition of what happens today, or your
6 vision of, gee, if you said you have to audit the same way
7 you audit a list of accounts receivable in the future?

8 MR. GALLAGHER: I think that's kind of how we look
9 at things today, Jay, that -- and again, sliding scale
10 based on materiality and risk, but I think that's how we
11 would look at things today.

12 MR. HANSON: Yes, and I'm really kind of curious
13 as to how much thought you've given to, gee, if this really
14 were to change the paradigm and audit it like you do
15 anything else, how much more work it would be to do that
16 exercise.

17 MR. GALLAGHER: Yes, and I do worry a little bit
18 about the notion that it's like anything else and it
19 assumes that an auditor can't consider -- and maybe that's
20 not what we're talking about here, but I would hope we're
21 not taking it to the point where the auditor can't consider
22 the technical expertise and independence of the expert as

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 a factor in weighing the amount of work that the auditor
2 would do.

3 MR. BAUMANN: Thank you very much for that response
4 to Jay's good question, but it sounded a little bit almost
5 like Wendy's answer that -- sounded very good, but it did
6 sound also --

7 MR. GALLAGHER: Thank you, Marty.

8 (Laughter)

9 MR. BAUMANN: Noted for inspections, right?
10 Sounded very good, but it also sounded potentially beyond
11 what is simply in the book on 336, that procedures are more
12 risk-based and in certain cases would go beyond what it
13 says, obtain an understanding of what the specialist did.
14 But in cases where you think the risk of material
15 misstatement is greater, that understanding would be how
16 reasonable are the assumptions? How reasonable are the
17 methods and testing that by your own actuary or your on
18 specialist in those circumstances. So your sliding scale
19 was very risk-based, maybe more than AU 336, the existing
20 standard, is.

21 But you're nodding your head, so I'm going to say
22 Mike was saying yes.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. GALLAGHER: Yes, I think that's fair. And
2 again, similar to Wendy I think that you've got enough
3 flexibility under 336 to make those judgments.

4 MR. BAUMANN: Doug Maine has been -- his card's up
5 there for a few minutes. I promised him he would be next.

6 MR. MAINE: Thank you, Marty. While I'm certain
7 that the employees that are the specialists for Duff &
8 Phelps and Aon and the accounting firms and others are
9 professional and conscientious and qualified, in my mind
10 it takes a real leap of faith to believe that and also to
11 believe that they won't simply tell management what
12 management wants to hear.

13 So question I have for the panelists is how would
14 they feel about some sort of certification process? Now
15 I know actuaries have that, but as far as I know the other
16 ones don't. Setting aside for the moment the
17 practicalities about who would provide the testing, how
18 that would work and so forth, how would you feel about a
19 certification process? Because to me as a hirer it would
20 demonstrate a level of expertise. And also if the person
21 failed to perform, they could lose their certifications
22 or their license.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. CHANG: So I appreciate that question. And in
2 fact the profession, there is a movement now towards moving
3 towards trying to bring some better uniformity and
4 consistency and in essence some form of certification to
5 represent that.

6 I think you're probably --- Greg, I think you're
7 probably going to try and raise that in the afternoon
8 panel? Is that correct?

9 MR. SCATES: We will be talking about valuation
10 specialists also with respect to when the auditor uses a
11 specialist.

12 MR. BAUMANN: Yes, but I think it's a good question
13 right now for if the auditor's going to look to
14 management's specialist, actuaries have the broad
15 certifications that we're talking about and peer reviews
16 and things like that. I think Doug's question is if
17 management's going to use specialists, and auditors are
18 going to use that work, should the valuation specialists
19 and other engineering specialists and others that are used
20 be subject to certifications? Would that change the
21 landscape and make Doug and others feel more comfortable
22 in that area? And it sounds like you're saying there's

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 some movement towards that, but for the valuation
2 specialists there's not yet a certification, peer review
3 program, things like that?

4 MR. CHANG: That's right. I mean, it's a
5 conversation that's been going on for quite a few years,
6 and a speech by Paul Beswick at the time in 2011 at the
7 AICPA conference really kickstarted that movement, if you
8 will. And this is a group, to my understanding, that is
9 led by the valuation professional organizations, the
10 various organizations that are involved here in the U.S.
11 to really look at how can we put some structure around it,
12 how can we put some standards and practices around it to
13 provide that assurance. And it's my understanding that
14 that group has met with the FASB Board has met with the
15 SEC and has met with the PCAOB as well.

16 And so when I say "movement," it's trying to get
17 there. I think as a professional we sort of recognize that
18 the -- whether it's the voices that are louder, that
19 looking for, that type of assurance or -- and "assurance"
20 again might be over-using the term, but that sort of ---
21 to give some more confidence behind what we have done to
22 help with sort of that perception, right? Because as I

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 described, we as a firm do have in my mind some pretty good
2 processes in place and policies to ensure that we are in
3 fact independent, at least from -- whether it's personal
4 financial holdings and what not.

5 And then when it comes to how do we defend our work
6 product; I think I'll leverage a little bit to what Mike's
7 response was earlier, is we serve thousands of clients and
8 if we can't defend our position for one client, it has a
9 ripple effect on our ability to defend the position for
10 another client. Right? So we really need to be able to
11 stand on our own in terms of the conclusions we've reached
12 is reflective of the facts and circumstances that are
13 associated with each estimate that we provide.

14 MR. BAUMANN: Thanks for that response. I'm going
15 to jump if I can to David Tweedie. He's put his card up
16 and given your role on the International Valuation
17 Standards Committee, and maybe you want to continue this
18 dialogue?

19 MR. TWEEDIE: Thanks, Marty. I think it's very
20 difficult now for auditors in the sense that if you look
21 back 15 years ago the subjectivity in financial statements
22 was much, much less than it is now. The standard setters,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 the accounting standard setters have tried to control that
2 a bit. As far as intangible concerned, we don't allow you
3 to have homegrown ones because it's very difficult to
4 value. When it comes to business acquisitions, you've
5 written a check and, all right, you might have fair values
6 of intangibles, but there's a cap on it. It's almost an
7 allocation exercise within the total amount.

8 Where it's got really difficult has been as we've
9 moved more and more into financial instruments. And
10 that's where we're going to have a lot of problems.
11 Somebody is often saying that we should really take the
12 financial instruments figures, the deferred tax figures
13 and the intangibles and net them off together and then we'd
14 only have one damn silly figure in the accounts instead
15 of three.

16 And the sort of thing that you've got in financial
17 instruments, it's easy when you've got markets. When you
18 move into levels 2 and 3 you can have exotics. And I was
19 listening to Loretta; and she won't be dealing too many
20 financial instruments, but we check the credentials first.
21 We haven't got any. These are pointy-headed
22 whirling-eyed astrophysicists doing some of this stuff.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 And there is no check on them. And that's one of the real
2 concerns we have now.

3 Jouky was talking about the work that's going on
4 mainly in business valuations to say, well, what do we do
5 to have a credential that people recognize? In the United
6 States there are 45 real estate organizations linked to
7 the appraisal foundation. All have got their own
8 qualifications. Paul Beswick was talking about five
9 different business qualifications. And the move is now
10 can we just bring them together to say that here is going
11 to be a common credential. And you've got to have these
12 entry requirements, these exams, CPD, discipline, ethics.
13 And we're going to see a few of them hanging from trees
14 when they get it wrong. And that's not there at the
15 moment.

16 So it's very difficult to look at the credentials
17 and see what's happening. And in financial instruments
18 there are none. AICPA is talking about trying to do
19 something. But then you've got to persuade the banks to
20 get their guys in to take these credentials. And that's
21 going to be difficult.

22 So I do think the auditor is in a very difficult

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 position, because we know from looking at the evidence of
2 some of the financial institutions that when they do these
3 more exotic financial instruments, they're not even close
4 to each other. And we're talking about sometimes hundred
5 percent differences. Well, we'll never get it down to
6 three decimal places, but we've got to get into the same
7 ballpark.

8 So I think there is a move for the firms with the
9 professional organizations. The banks are staying out of
10 it. They quite like it the way it is. And they have
11 proprietary information and sometimes they require the
12 auditors not to reveal any of that to anybody else. And
13 it's very difficult to get the comparatives in these
14 situations.

15 So, I personally, and I've said it before publicly,
16 I think we have a lacuna in financial regulation. We've
17 got the accounting standards which say use fair value.
18 And we pinched Bob's standard at 157. It's IFRS 13. It's
19 the same standard. What it doesn't do is to say, okay,
20 once you use this, what's the fair value? And now we're
21 discovering, as we did in the crisis, that the values are
22 miles apart.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Now, how do we start pulling that in together? And
2 one of the things we're talking about is can we get the
3 firms and the financial institutions and the users and the
4 VPOs together to say, right, what's causing these
5 differences? What can we do to try and eliminate them?
6 But that is going to take some time to do. And I sympathize
7 with the auditors, because you have specialists such as
8 Ken who's coming from a recognized profession. You've got
9 lawyers who are in -- well, I suppose it's a profession --

10 (Laughter)

11 MR. TWEEDIE: -- and the accountants. They are
12 really identifiable. But you've got a new professions out
13 there that haven't really found themselves yet. And
14 that's where I think you're in real trouble, and that's
15 where it's very, very tough for the auditor. Is it
16 reasonable? Yes, but this one's also reasonable and
17 they're miles apart.

18 MR. BAUMANN: Right. Good comments. Thanks to
19 David.

20 Brian Croteau?

21 MR. CROTEAU: Thanks, Marty. Just quickly.
22 Again, my own views particularly here. Again, I would

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 certainly associate myself with Paul's remarks in this
2 regard, and I appreciate sort of the comments here relative
3 to the efforts that some are undertaking including the
4 AICPA. I think this is complicated and probably going to
5 take awhile for real progress on this. And I'm not trying
6 to promote any particular path that they or anyone else
7 might go down. I think it requires careful coordination
8 with lots of different elements and valuation-type
9 professionals.

10 And so my sense of it is that this isn't something
11 that will happen overnight. And if that's the case,
12 continuing to think about other ways to advance that more
13 quickly or advance efforts like that more quickly or get
14 some momentum behind it I think is personally I think is
15 important. And again, not looking to endorse any
16 particular approach. I think it's early, really early
17 stages on this, but certainly I would associate myself with
18 the remarks that Paul made.

19 MR. BAUMANN: Thanks. A couple of the panelists
20 have had their cards up. I know some of the other SAG
21 members have, too, but I think they wanted to respond
22 potentially to some comments made. So why don't we let

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Loretta and then Ken do that?

2 MS. CANGIALOSI: Yes, I had two comments. One is
3 on the whole idea of some kind of certification for the
4 valuation folks. I would absolutely support that. I
5 mean, as I said in my remarks, we do try to go for people
6 who understand what this exercise is all about and how it's
7 done. I think it would be really helpful for the auditors
8 to have that to say, okay, you know, these people have this
9 certification that presumably they have continuing
10 education, they're knowledgeable about the methodologies.
11 You know, there are some whatever standard-type
12 methodologies to be used in the U.S. GAAP valuation. I
13 think having those things would be extremely helpful for
14 the auditors in the amount of work that they have to do.

15 Second thing is I just wanted to make it clear that
16 when our auditors come in and look at the valuations, they
17 certainly understand the assumptions and all the rest, but
18 they do do a re-performance. They actually take all the
19 inputs, because they get all the flat files -- they have
20 their own internal model that they've developed. They
21 input them in and then they look at the outputs and then
22 they build a bridge back to our specialists' outputs. So

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 that's an extensive exercise.

2 It hasn't resulted in any major adjustments. It's
3 really an understanding. They understand their models.
4 The specialists understands their model. That's how
5 they're getting that understanding of the model. But it
6 seems like that's an awful lot of work considering that
7 these are groups that supposedly should be using similar
8 types of methodologies.

9 MR. BAUMANN: I'll just comment again that whether
10 you think it's too much work; or maybe it is or maybe it
11 isn't, what you said they're doing is beyond what I
12 consider to be the minimum requirements in AU 336, which
13 it sounds like a lot of people are saying, yes, they have
14 to go beyond that, which I think goes to what we're raising
15 in the consultation paper, does there need to be something
16 stronger than AU 336? And it sounds like most auditors
17 are often doing more than that, coming in and testing those
18 models.

19 So I think Ken had his card up.

20 MR. LINING: Thank you. There was a comment made
21 on page 30 of the paper that reads: "In cases where the
22 auditor does not have the specialized knowledge or skill

1 to perform more rigorous procedures, the auditor might
2 need to employ or engage his own specialist." This was
3 a comment that was raised before the break. So I'll try
4 to make some comments here which I hope will try to tie
5 together some of the questions here.

6 Generally, when we're going through a year-end
7 audit for one of our client's plans, it's a pretty typical
8 case that, you know, we will prepare the information, the
9 reconciliations, the PBO asset disclosures and then send
10 all this to the company. Typically also they want to
11 receive it directly --- the auditors typically will also
12 want to receive this directly from us. We will receive
13 several follow-up questions about how did you select these
14 assumptions? For example, discount rates, expected rates
15 of return, mortality table.

16 And as we mentioned before, many of the large
17 auditing firms also employ their own actuaries. So we
18 typically will discuss these items through with them. In
19 some of the cases, some of the plans I work on the benefit
20 obligations will actually exceed the market cap of some
21 of the companies that we work for. So there's a very large
22 exposure. The SEC certainly is interested in making sure

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 those numbers are correct.

2 We want to make sure that the auditor's specialists
3 understand the methods, the assumptions, the data, that
4 our reports are fully documented in terms of exactly what
5 we're showing so that they can come back and then, you know,
6 ask us questions about those. I don't think there's a ---
7 in terms of testing certainly, we expect them to do some
8 sample testing. We will typically send them things like
9 cash flow streams and spot yield curves so they can come
10 up with relatively similar liability and discount rate and
11 that kind of thing.

12 I'm not sure it's necessary for the auditor or the
13 specialist to actually replicate our work, but certainly
14 to test it and become comfortable with those results.
15 Thank you.

16 MR. BAUMANN: Thanks. Joan Amble, Phil
17 Santarelli, then Bruce Webb are the cards that I have.

18 MS. AMBLE: Okay, thank you. I guess a couple
19 things, and some of this I'm probably just stating the
20 obvious, but kind of underscore what we've heard. I do
21 think it's important that if you start first with the
22 preparers -- and actually this would apply both to the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 auditors if they're engaging a specialist -- I think it's
2 important to understand who does the engagement of the
3 specialist in terms of the independence. And my
4 experience has always been when you're in a company and
5 let's say you're wanting to check how they're valuing
6 derivatives or something in a capital transaction or
7 goodwill or intangibles, you generally don't want that
8 function that owns it to engage the specialist. It's much
9 better to have an independent group, whether it's the chief
10 accounting officer, which is what I've typically seen.
11 Because I think having the specialist know who they're
12 reporting to really helps in the whole independence issue,
13 number one.

14 And obviously when you evaluate that you're going
15 to look at them for their independence. And for me I don't
16 know if it's as much as how much revenue are they bringing
17 in, but rather do they have the backbone to give a position
18 that may be contrary to what management might expect. And
19 I think that's a matter of looking somebody in the eye and
20 having a very senior person, whether it's management; and
21 it ought to be somebody from management, but also a very
22 senior person within the auditing profession that knows

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 how to grill the individual to make sure that they
2 understand that they want them to do this completely in
3 accordance with whatever valuation model is appropriate.
4 So that really speaks to the independence, the competency.

5 I think the third thing to look at is who's
6 controlling the output. And particularly as it comes back
7 to the company, it's one thing to have either an employee
8 within the firm or somebody that they've engaged, but who
9 makes the final call? If I look at pensions for example,
10 what scares me is that I think a lot of people don't
11 understand pensions. And quite frankly, it's not that
12 difficult if you just take the time.

13 And I think that making sure that you understand
14 who determines who's going to be moving these assumptions
15 and whoever owns it within the company understands it as
16 well as the auditors. I do think the auditors need to
17 understand the -- they don't have to be a specialist, but
18 if they engage a specialist, they need to understand the
19 output. Because if they don't, I'm not sure -- I'm on
20 audit committees now. I wouldn't feel very comfortable
21 if my auditors didn't understand what the specialists had
22 done. So to me that's bare bones minimum.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 And so I guess that -- because I don't think you can
2 abdicate the responsibility either as a preparer or an
3 auditor.

4 So that being said, I guess where I'm a little bit
5 confused is I know we've teed it up of do we want to amend
6 or rescind 336, and I guess I'd just challenge -- and maybe
7 I just don't have enough knowledge of the 336, but if firms
8 are already expanding it to get to an answer that will
9 enable them to ensure that they understand the output,
10 they've determined independence, et cetera, and
11 competency and control, is it a circumstance where instead
12 of amending or rescinding, it could be an interpretation
13 and/or an articulation of expectations or best practices?
14 And I just throw that out there because I think maybe that's
15 easier than amending or rescinding.

16 Now if we think that people going above and beyond
17 is clearly above and beyond and it's not an interpretation
18 of that, takes that off the table. But if it could, I think
19 that might be an easier thing to come through.

20 And the last point I wanted to make was in the
21 document; and I would see this as a best practice, if it
22 could be, we articulate the representations that we think

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 the specialists should make, whether they're specialists
2 in any of the terms, whether it's the specialist within
3 the company or somebody that the company engages or
4 somebody that the auditor engages. Rep letters are a form
5 of art that are already out there. A lot of people do it
6 already. I mean, the firms get it from the CFO, the chief
7 accounting officer, and the CEO, but quite frankly, they
8 get it from a ton of people in the company. At least that's
9 always been my experience.

10 And I'm almost wondering if the nomenclature can
11 make something like that a little bit easier to accept as
12 well, that you would expect that whoever that specialist
13 is, that they would have a defined set of representations.
14 And again, they would give that to the auditor. And
15 because I do think having that individual understand who
16 they're ultimately reporting to helps in really
17 understanding what their role and responsibility is.
18 And, gee, I didn't understand. And quite frankly, we kind
19 of get that already today.

20 If you think about an estimate we haven't talked
21 about, which can be huge, are legal liabilities. And, you
22 know, in-house attorneys and sometimes external attorneys

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 provide that all the time. And, you know, that's kind of
2 a black box sometimes, too, until you really delve into
3 it and really push how are you doing it? These are the
4 rules, et cetera.

5 MR. BAUMANN: A lot of good comments. Thanks very
6 much, Joan.

7 I think I said Phil was next, right?

8 MR. SANTARELLI: Thank you, Marty. I'd like to
9 speak as a representative of smaller auditing firms who
10 by extension represent smaller issuers and advocate for
11 retention of 336 with potentially some enhancements.
12 I've heard a lot spoken about how somehow the auditors in
13 many cases are extending 336, or how 336 is applied. I
14 don't necessarily agree with that. I think there exists
15 in 336 a paragraph 12 the concept that if the auditor finds
16 the conclusions of the specialist to be unreasonable, they
17 can't really accept them. And in some of those cases they
18 may have to employ their own specialist to go further.

19 I think some of Wendy's comments as far as the risk
20 assessment is really an extension of what's in 12, what's
21 in the spirit of 12. You can't really --- in many cases
22 where you've got a company like Pfizer, what Loretta's

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 doing with her specialists, it is not uncommon in the
2 smaller issuer world where the ICFR over their use of their
3 specialists is just not good enough. And auditors need
4 to address that appropriately and in some cases challenge
5 management to do better or in fact bring someone else,
6 either someone they have internal to their firm or not.
7 So I think 336 provides for that currently.

8 The other issue I would want to put on the table
9 is one of the fundamental concepts in 336 that goes back
10 to when the standard was developed is that auditors are
11 not expected to have expertise out of auditing. Okay?
12 They're not expected to have -- they have business sense.
13 They understand the clients that they're auditing. But
14 if you move to rescinding 336 and put it into the way we
15 would audit the rest of management's information, a
16 re-performance type scenario, I think you're now making
17 that requirement that the firm will in fact have to have
18 that expertise, and that becomes, in my view, fairly
19 burdensome for the smaller firms. And whether or not the
20 universe of people that can do this work exists to be hired
21 or employed by the firms is an open item.

22 So paragraph 6 talks about that. I think we have

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to retain that concept that auditors have their expertise
2 and should be savvy enough to be able to challenge the
3 results of the use of the specialists. But something in
4 the nature of a staff audit practice alert that we've found
5 as a firm to be extremely helpful in going about our
6 business with guidance on how do you evaluate the
7 competency of the specialist, how do you test the inputs
8 that they get from management, and considerations when
9 evaluating the assumptions inherent in that can go a long
10 way to improving the quality that exists when using
11 specialists. Thank you.

12 MR. BAUMANN: Thanks, Phil.

13 Bruce Webb, and then I have Philip Johnson and David
14 Kane.

15 MR. WEBB: Well, Phil stole a lot of my thunder,
16 but -- and thank you for that. But I really want to keep
17 my comments pretty narrow in terms of the question at hand
18 is should we amend AU 336 or rescind it? I strongly
19 advocate that we amend it, that we bring it at least up
20 on par with ISA 500, 620, AU-C 500, 620, which I think are
21 stronger standards and would address some of the
22 deficiencies in 336 that you have identified, Marty.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 As Phil says, auditors are CPAs. We're not
2 geologists, we're not gemologists, we're not engineers.
3 So, to do a good audit an auditor is going to need to use
4 the work of specialists in certain situations. And you've
5 correctly identified the four ways that a specialist can
6 be utilized: either company- employed, company-engaged,
7 auditor-employed, auditor-engaged. Well, there's only
8 one of those four scenarios where the auditor has control
9 over the specialist, and that's when it's an
10 auditor-employed specialist.

11 So I think it would be a big mistake to sort of do
12 away with the guidance on how an auditor would supervise
13 and interact and use the work of a specialist, realizing
14 that, you know, as the paper has pointed out that the
15 auditor-employed specialist is subject to the supervision
16 requirements of AS 10. And that's always been the case.
17 So once again, I would just advocate very strongly for not
18 throwing the baby out with the bath water.

19 MR. BAUMANN: Yes, but amending and elevating it
20 to some of what we've really heard, closer to what the ISA
21 has.

22 MR. WEBB: Very supportive of that, Marty.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. BAUMANN: I think I said Philip Johnson next
2 and then David Kane.

3 MR. JOHNSON: Thanks, Marty.

4 MR. BAUMANN: And then Tom Selling.

5 MR. JOHNSON: My comments are very much on the line
6 that we've just been talking about, of not throwing it
7 away. I think there is definitely a time for a new
8 standard. IAASB did ISA 620 through the Clarity Project
9 in 2009 for basically 2010 year-ends. Fair values and the
10 use of specialists has increased since the current PCAOB
11 standard was issued. So I think it is important that we
12 bring it together, but I think that a lot of what was done
13 in ISA 620 did address some of the issues, or a lot of the
14 issues that smaller accounting firms, smaller audit firms
15 have. And so, I wouldn't throw out AU 336 in its entirety.

16 I think that when I was looking through the papers,
17 I was in agreement with lots of what was in the papers,
18 but it struck me that -- and as Mike said and as Wendy said,
19 best practice has moved on. But possibly one of the areas
20 that hasn't moved on quite as much as it should do and in
21 line with standards generally is documentation of what is
22 being done, particularly for the auditor-engaged rather

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 than the auditor-employed. Because within the
2 auditor-employed, often they're just an integral part of
3 the audit team, particularly in very complex audits. So
4 I think documentation, both IAASB, when they did their
5 review and from your findings, the documentation is a weak
6 point. So I think that what you've got detailed in on
7 pages 37 and 38 with regard to documentation for
8 auditor-employed is important and should be emphasized.

9 I have a number of comments to make, but I'll drop
10 a note on those.

11 One of the things that's not --

12 MR. BAUMANN: Well, after lunch, we're talking
13 more about auditors-engaged or auditors-employed, so to
14 that extent you have time if that's your subject.

15 MR. JOHNSON: Okay. Okay. And so the only other
16 point that I was rais -- and I don't know whether you're
17 dealing with that, and that's with objectivity later on
18 in the paper. Is that going to be this afternoon?

19 MR. BAUMANN: Yes. Yes.

20 MR. JOHNSON: Okay, that's fine.

21 MR. BAUMANN: David?

22 MR. KANE: Yes, I don't want to pile on, Marty, but

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 I think it is probably a good option in terms of AU 336
2 to up the game a little bit from the auditor perspective.
3 I think what's in the ISAs in terms of looking at the
4 relevance and the reasonableness of the findings, the
5 conclusions, the contrary evidence, the methods and
6 assumptions is being done in many cases today, not
7 completely all, but in many.

8 But I think to Jay's question about how is it
9 altogether different if you were to rescind AU 336 than
10 from, you know, just looking at the higher-level guidance
11 that 336 has. So I'm just thinking about like a pension
12 plan, for example. And if I had an AR listing and a pension
13 plan, a pension plan going down each participant, or like
14 an OPEB, tracing that through in terms of all the potential
15 benefits that that participant may get, tons of
16 assumptions, lots of calculations -- and if we don't have
17 access to that proprietary model as an auditor, and we
18 think about testing the estimate, it feels like we've got
19 a couple options, right?

20 One is looking at subsequent events, and that's
21 generally not going to be as helpful in this circumstance.
22 Can't really test the process anymore because we don't have

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 access to that model as much as we would need to. So then
2 we're going to be kind of left with generating and
3 independent estimate. So when I just think about
4 developing our own model that's going to be consistent with
5 what the specialist has got and is providing to management
6 is a heck of a lot more work than it would be of looking
7 at the overall reasonableness of the assumptions, the
8 methodology, and doing some corroborative calculations
9 and some shadow calculations to make sure that what
10 ultimately the specialist is coming up with and what
11 management's using is in some sort of reasonable relevant
12 range.

13 And maybe just one last point, too, in just thinking
14 about this. If you were to rescind AU 336, feels like
15 companies would have to do a lot more as well. Because
16 for auditors to go in from an ICFR perspective and be
17 testing all the same -- sorry, a lot more data than what
18 the company's actually doing, and if the company's
19 applying more like an AU 336-type model itself, I don't
20 know if that's exactly on par, and I'm not quite sure that
21 makes a lot of sense.

22 MR. BAUMANN: Good. Thanks. Very helpful

1 comments.

2 We're probably about five more minutes. We're
3 over the time that we've allotted for the morning, but
4 there's a lot of cards up. Try to figure out how to handle
5 this because the content is so good that we're getting.
6 But let's try to limit it to five more minutes. Keep your
7 cards up if I don't call on you. And then after lunch we
8 can continue this dialogue.

9 Tom Selling and then Jean Joy.

10 MR. SELLING: I also agree that AU 336 should set
11 forth situations where the auditor's responsibilities can
12 be limited, but in principle I believe a necessary
13 condition should be that the specialist is independent
14 from management. If that's the case, then the auditor's
15 work can be efficiently limited to examining whether, for
16 example, following sort of David Tweedie's example of
17 physicist, that the specialist is qualified to perform the
18 task, that the auditor can verify that the expert is
19 independent in appearance, it can verify inputs that are
20 capable of verification, it tests calculations. However,
21 the auditor then cedes the judgmental issues to the
22 experts. The auditor shouldn't even be expected to

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 perform a reasonableness evaluation of that judgment.

2 But of course what I just described and Doug Maine
3 alluded to already is that independence and certification
4 standards are key. So I would hazard that the critical
5 path to this discussion lies with parallel guidance
6 similar to Article 2 of Regulation S-X that should be
7 applied to experts. Fortunately, I'm sitting right here
8 next to Brian, and I think we should be able to draft the
9 needed amendments over the lunch break --

10 (Laughter)

11 MR. SELLING: -- and we'll get back to you then.

12 MR. BAUMANN: That was going to be my follow-on
13 question, is what independence rules did you have in mind
14 that all these specialist organizations should follow? I
15 did hear one of the -- Ken, you may have used this term.
16 At times with our clients we want to be trusted business
17 partners, or we are trusted business partners. Would that
18 be the same client that you might be doing an actuarial
19 calculation for, that you're sometimes also a trusted
20 business partner. And that might not jibe with the
21 independence.

22 MR. LINING: So, I think, you know, we will have

1 a high degree, a track record of accuracy and objectivity
2 with the client so that when they want an objective
3 business opinion about something, they will ask us.

4 MR. BAUMANN: Thank you.

5 MR. SELLING: Just last 10 seconds, but lacking
6 that I believe that the information that comes from
7 specialists should be seen as to be coming from management.
8 The auditing standards already say that it's management's
9 responsibilities for the estimates, and I believe that any
10 non-independent source should be treated the same whether
11 it comes from management or whether it's from specialists
12 the management's retained.

13 MR. BAUMANN: All right. So, we're going to have
14 Jean Joy, Jeremy Perler, and Bill Platt, and then we'll
15 have to call it for lunch. Thanks.

16 MS. JOY: Thank you, Marty. I don't want to
17 reiterate the comments that I agree with that Phil and
18 Bruce previously mentioned, and in particular with regard
19 to some of the smaller firm issues, because there is a lot
20 of reliance on AU 336 and its application. And I think
21 in practice it has been a very workable standard and has
22 worked for most. Obviously, enhancements would be

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 supported wherever deemed appropriate, particularly with
2 independence and objectivity, and maybe further guidance
3 on how one gains an understanding of the methods and
4 assumptions.

5 But having said that, I do think that the use of
6 specialists is really key to audit quality, and to try to
7 have the auditors assume a specialist mentality, you know,
8 that's really not where we are, but the use of a specialist
9 is key to audit quality. And our ability to assess the
10 work of a specialist I think is also key.

11 So, if you have a situation where you don't think
12 you, as an auditor, could reasonably assess the results
13 of a specialist, you would be engaging your own or on a
14 much broader scale depending on the significance of the
15 issues. I think you'd have to look at that with client
16 acceptance procedures as well as to whether or not that's
17 really an environment that you should as an auditor be
18 operating in.

19 And, I guess lastly, a lot of times we have this
20 discussion about large firm/small firm, and there are
21 different ways that small firms and large firms deal with
22 AU 336. However, I don't really think it's a small

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 firm/large firm issue. I think the application of the
2 standard should be consistent and what we're trying to get
3 to should be consistent. How we get there may be slightly
4 different, but I don't think there should be different
5 expectations from a small firm or a large firm. The
6 standard needs to be consistent.

7 MR. BAUMANN: Thanks, Jean. Jeremy Perler?

8 MR. PERLER: I had more of a question which is
9 probably better after lunch, so I'll yield my time to
10 lunch.

11 MR. BAUMANN: Good, you yield to Bill Platt for the
12 final word. And by the way, I didn't mean to infer that
13 others should take their cards down, that after we have
14 discussion of the auditor specialists later if you have
15 your cards still up, you'll be the first ones to be called
16 on.

17 MR. PLATT: Okay, knowing that I'm the impediment
18 to all of us heading to lunch, I guess I'll try to be quick.
19 I wanted to just reinforce several things that were said
20 here, particularly David Kane's comments.

21 But, you know, I find the conversation very
22 interesting and informative that we've had this morning.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 I do think though it's hard when one thinks about the
2 diversity in the types of estimates that specialists are
3 involved in or fair value measurements. It's hard to put
4 them all into thinking of them all as the same. And I think
5 that would be a mistake to think that the same approach
6 should apply to every measurement or to every type of
7 specialty.

8 I think also as Sri pointed out before is that, you
9 know, what we're dealing with are areas where it's beyond
10 what I would say the core expected expertise of your
11 typical accountant or auditor are. You know, the reason
12 why Loretta is going outside to employ specialists is
13 because it's beyond the core expertise of her team from
14 an accounting standpoint. And from an auditor's
15 standpoint, I think we'd be in the same position. So
16 therefore, they are unique and different than, Jay, you
17 asked about the accounts receivable before. So, I think
18 there is a difference there.

19 And I do think that that then means that eliminating
20 336, to my own personal view, would not be a desirable
21 outcome. I think enhancing it and looking to the ISA
22 standards I think is a good starting place to look to as

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to what might be done. But I think what we have to avoid
2 is ending up with sort of this one-size-fits-all solution
3 that all of a sudden we need to either get into every model
4 or recalculate in every situation. And we've talked a lot
5 about different situations where at times we do and at
6 times we don't. But I just don't want it taken away that
7 the audit profession has moved to a place where we're
8 always recalculating when we're involved specialists.

9 And a good area that David mentioned before is in
10 employee benefit obligations and actuaries. You know,
11 it's common for us to test assumptions. It's common for
12 us to engage in dialogue between our actuaries and the
13 company's actuary. And it's common for us to look at the
14 end result and say does it make sense given the change in
15 actuarial assumptions during that period but not going in
16 and actually trying to recalculate how their model works
17 or re-performing a valuation also. So I just I would say
18 that if we did move in that direction, I think we need to
19 evaluate the cost-benefit of it as we sort of look moving
20 in a direction like that.

21 But I think it's been a great dialogue, and I
22 appreciate the opportunity to make a few comments.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. BAUMANN: Thanks, Bill. I think it's been a
2 great dialogue also. I thank the SAG members for
3 incredible input and advice on this. The wide range of
4 views were very, very valuable. And really appreciate the
5 panelists in helping kick-off the dialogue. And so, thank
6 you very much, all of you, for your willingness to be here
7 with us today and lead this conversation.

8 Lunch: Jessica will tell us details where to go for
9 lunch in a second, but let's try to be back here at about
10 1:15, if possible.

11 (Whereupon, the above-entitled matter went off the
12 record at 12:27 p.m. and resumed at 1:24 p.m.)

13 MR. BAUMANN: Thanks everybody for getting back so
14 promptly. We set 1:15 as a target. And we came pretty
15 close to the target. So, thank you very much.

16 So, this morning we talked about management using
17 a specialist. Whether that specialist is employed by the
18 company or management engages that specialist.

19 And how the auditor uses management specialists'
20 work as audit evidence. And we explored the extent to
21 which the auditor should perform procedures around the
22 work of management specialists.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 So, that's the subject we explored this morning.
2 And we had a very good discussion and a wide range of views.

3 A lot of people saying Amend 336. But, -- and in
4 many cases, people saying that went beyond the procedures
5 that are in 336 already.

6 This afternoon we're exploring when the auditor
7 uses his or her own specialist to audit an area where the
8 auditor may not have expertise.

9 So, the auditing standards also address the fact
10 that the auditor can employ a specialist or engage his or
11 her own specialist to assist the auditor in auditing
12 insurance company actuarial reserves. Or oil or gas
13 reserves or environmental liabilities. Or places where
14 auditors may not have particular expertise.

15 There are two standards as we laid out in the
16 consultation paper. If a specialist is employed by the
17 auditor, the auditor supervises that employed specialist
18 in accordance with AS 10.

19 But those requirements are really the same
20 supervisory requirements for somebody that the auditor has
21 a skill to supervise, such as another accountant. As it
22 would be for an employed specialist, who may have different

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 skills.

2 So one of the questions is, should there be
3 different requirements for supervision under AS 10 when
4 you're supervising a specialist? And then if the auditor
5 engages a specialist, a third party, to assist him or her
6 as part of the audit, then the auditor is in AU 336 and
7 doesn't really supervise that specialist but follows the
8 procedures that we discussed this morning in AU 336.

9 Questions also arise that of course an auditor's
10 specialist who is employed, has to be independent pursuant
11 to PCAOB and AICPA rules. They're performing procedures
12 on the audit.

13 An auditor's engaged specialist is really doing the
14 same thing that an auditor's employee specialist is doing,
15 but pursuant to different standards, 336 versus AS 10.
16 And does not have to be independent, has to meet an
17 objectivity test.

18 So, these are all the questions we want to tee up
19 this afternoon about the use of an auditor's specialist.
20 Compared to this morning's management specialist.

21 We're going to have panelists which Greg Scates
22 will introduce in a moment. And then we'll take

1 questions.

2 And again, as I mentioned before, those with cards
3 up will have the first rights for speaking rights for
4 questions. And your question can go either towards the
5 subject of auditor specialists or, if you wanted to follow
6 up on what you heard this morning about management
7 specialists.

8 So, I laid out a little bit of the ground rules for
9 the next couple of hours. And with that, Greg Scates.

10 MR. SCATES: Thank you, Marty. First I'll give
11 the disclaimer. The views expressed by the presenters are
12 their own personal views and not necessarily those of the
13 PCAOB, the members of the Board, or the PCAOB staff.

14 In this panel -- group of panelists, we have five
15 panelists for this discussion on the auditor's specialist.

16 This is focused on how an auditor's specialist
17 performs the work for the auditor. And how the auditor
18 then evaluates the specialist's knowledge, skill and
19 objectivity with respect to the engaged specialist.

20 And also how, the auditor then oversees or
21 supervises the work of the specialist. Including
22 reviewing the specialist's work and the conclusions.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And now let me introduce our panelists today.
2 First we have Andreas Ohl from PricewaterhouseCoopers.
3 He's a Partner in the firm and leads the
4 PricewaterhouseCoopers Transaction Services Evaluation
5 practice in the United States.

6 Next to Andreas is Susie DuRoss. Susie is a Chief
7 Markets Officer and Partner at Harvest Investments. And
8 she oversees the securities evaluation process at Harvest.

9 Next is Dan Olds. Dan is a Managing Senior Vice
10 President and Petroleum Engineer at Ryder Scott in
11 Houston, Texas. A firm specializing in the evaluation of
12 oil and gas reserves.

13 And next to Dan is Efrim Boritz. He's a Professor
14 and Director of the Center for Information Integrity and
15 Information Systems Assurance at the University of
16 Waterloo in Waterloo, Ontario.

17 And next is David Kane, a member of our Standing
18 Advisory Group. Is a Partner at Ernst & Young. And is
19 The Americas Vice Chair of Assurance Professional Practice
20 at Ernst & Young.

21 And so I'd like for Andreas to get us started.

22 MR. OHL: Sure. Thanks Greg. Good afternoon

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 everyone.

2 As Greg mentioned, I have responsibility at PwC for
3 what we call value measurements. So that's both preparing
4 for non-audit clients and reviewing for audit clients,
5 evaluations performed for financial reporting purposes.

6 We also do some evaluations for tax purposes.
7 Again, that would be both for audit and non-audit clients.

8 The other thing I do is, I serve on the Standards
9 Setting Board at the IVSC. The Evaluation Standard
10 Setting body in London that was mentioned this morning
11 where David Tweedie is also engaged there.

12 So, maybe just a little bit about our practice.
13 And then I'll get a little help, maybe our -- get people
14 to understand the role we play in the firm.

15 So, we sit in the assurance practice, which is also
16 where the audit practice sits. We're in a separate group.
17 We're not in with the auditors, but we are under the
18 assurance umbrella.

19 And that's important because that means that many
20 of the policies and procedures that apply to the assurance
21 prac -- or the audit practice applies to us as well.

22 So, we take a lot of the same training. We're

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 subject to the CPE requirements. Obviously as a part of
2 the firm, we adhere to the independence requirements that
3 the firm adheres to.

4 And we're just on a lot of the same email
5 distributions and the like that the audit practice would
6 be. And I think what that does, it builds an awareness
7 amongst all of our staff as to what's going on in the
8 accounting and auditing community.

9 Obviously, most of the folks on our staff have a
10 finance background. Many of them do have some accounting
11 background. We have some dual majors.

12 In fact, that's something we try to target. Just
13 because, you know, I think it was referenced this morning,
14 having finance folks who have some appreciation for the
15 accounting world is helpful when you play in the space of
16 preparing evaluations and reviewing evaluations in the
17 financial reporting context.

18 So we have about 250 people. We do approximately
19 2,000 audit reviews per year. Those vary dramatically in
20 size.

21 They can be a couple of hours if it's a small company
22 and it's a plain vanilla stock option valuation. Too, it

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 can be hundreds and hundreds of hours if it's a large
2 complex, cross-border transaction that has a lot of moving
3 pieces.

4 We have a number of standardized templates and
5 tools that we use for our audit reviews. Those get used
6 by everyone across our practice.

7 They depend on the nature of what we're looking at.
8 So we have separate tools for business combinations versus
9 impairments and things of that nature.

10 We've had those in place for a number of years. And
11 we update them all the time to reflect whatever the latest
12 developments are.

13 Maybe just to -- I'll preempt one of the questions
14 that I always get. So, I mentioned early on that we do
15 both prepare, and we review.

16 And one might ask well, why do we do that? Why
17 don't we just have people focus on reviews?

18 And the very simple answer to that is, our
19 experience has been that if you have people who actually
20 prepare the valuation and therefore have to start with a
21 clean sheet of paper and say, what are the assets? What
22 information do I need to figure out the values?

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 That that kind of a thought process is exactly what
2 you want when somebody reviews. Because if you don't have
3 that, let me take a very broad perspective, your focus is
4 very much, what is on the piece of paper that someone has
5 already given me.

6 You know, they identified five assets. Well, I
7 want to always step back first and say, can I think of
8 something based on what I know about this industry, this
9 company, that isn't on this piece of paper that maybe
10 should be?

11 And that may be where my line of questioning starts.
12 So, we find that that perspective is very valuable. And
13 frankly, it's something we use when we recruit staff.

14 And it's very much embedded in the way we do our
15 training as well. All of our training courses have a mix
16 of preparing and reviewing concepts built into them.

17 I think maybe another thing that's important is,
18 obviously because we prepare evaluations, we have a number
19 of models that we've developed in-house for purposes of
20 valuing business and tangibles and other types of
21 interests, debt instruments, whatever it might be.

22 Those are the same tools and the data sources that

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 we subscribe to that we use to prepare valuations, are the
2 same ones that we use in the audit process.

3 So, if we're running some sort of a sensitivity or
4 shadow calculation to get comfortable with something the
5 appraiser has done, when we're in a review capacity, we're
6 using our models that we would use in a non-audit capacity
7 to run those sensitivities.

8 So they're models that we've checked. All of our
9 staff are very familiar with. And we're following the
10 same process that we would when we're reviewing that we
11 would if we were preparing.

12 And I think that's important because I think a few
13 people have mentioned this already. The market is a bit
14 of a check on the non-audit work you do.

15 And so you get a high degree of comfort with your
16 models because of how they survive when they're challenged
17 by others when you're in the preparer capacity.

18 In terms of how the audit pro -- or the review
19 process works, you know, we work jointly with the audit
20 teams. We're often on calls together with them, with the
21 appraiser, with the client.

22 Understanding the models, the assumptions, the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 inputs. You know, I will say, there's a lot of discussion
2 around models. And, at least as it relates to business
3 valuation and tangibles, things like that.

4 While every firm has their proprietary models, at
5 the end of the day, they're not really that different. So,
6 the area of focus really is not so much, do I like their
7 model? Did they use the right model?

8 It's more on, where did the inputs come from? And
9 what level of diligence was done around those inputs?

10 So, there was a bunch of discussion this morning
11 on credentialing, so I get to take half of my notes away,
12 because I was going to talk about that at length. And it
13 sounds like there might be some more questions on that.

14 So, I'll talk about the other piece. Which is, one
15 of the crucial differences between the accounting /
16 auditing profession and the valuation profession, is that
17 while there are bits and pieces of standards around how
18 to perform a valuation, it's not nearly as comprehensive
19 or robust as what exists in the accounting and auditing
20 profession.

21 And so, that same process that has been started,
22 that has a lot of momentum behind it around credentialing,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 is also happening around what we would call performance
2 standards.

3 And to me the key piece of that is, if you're going
4 to sign something and say it's a valuation opinion, you
5 need to say it's in accordance with some set of standards.
6 Because right now, a lot of valuation opinions aren't
7 prepared in accordance with a specific set of standards.

8 They're basically prepared in accordance with firm
9 policies. Which obviously vary. And those performance
10 standards will have some real robustness around what does
11 one need to do with around diligencing inputs?

12 Do you need to come with alternative sources of data
13 beyond just taking inputs from whoever has engaged you?
14 And to me, whatever comes out of this process, I think needs
15 to encourage the profession to head down that path.

16 Because, where I'd really like to get to is a place
17 where the quality is built into the appraisal process.
18 And not that most of the quality is built into the review
19 process.

20 And I think right now, that balance may be a little
21 bit off. And I think it's driven largely because that
22 professional infrastructure and the valuation community

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 isn't quite where it needs to be.

2 I think the valuation profession has gotten that
3 message. And it is moving rapidly in that -- moving
4 rapidly in that direction.

5 I think maybe a little bit more just on the process.
6 And then I'll pass it onto the next speaker.

7 So, we will do diligence around the inputs. We
8 will run math checks to make sure the model is, you know,
9 mathematically correct.

10 We will look at certain logic concepts in a cash
11 flow model. For example, there's just certain pitfalls
12 that you've seen 100 times before. Those are the kinds
13 of things that are on our check lists.

14 We'll also work very closely with the audit team
15 on the inputs. And that's where -- that is often a joint
16 exercise. There's certain inputs where we're better
17 equipped to question and challenge the appraiser or the
18 company on them.

19 There's others where the audit team is better
20 equipped. And in our documentation, we make very clear
21 which pieces we've got covered. Which pieces the audit
22 team needs to cover.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 That collaborative process, which I guess I would
2 describe as you want people to focus on the things that
3 they're best at. It's very hard to find somebody that's
4 good across that entire spectrum.

5 And so, that's the way we've sort of built up our
6 model.

7 MR. SCATES: All right. Susie?

8 MS. DuROSS: Susie DuRoss. I'm here on behalf of
9 Harvest Investments. I am -- again, the opinions and
10 comments that I have are my own. They're not firm
11 comments.

12 I'd like to start to say that Harvest as a firm does
13 agree with what the PCAOB has done with regard to improving
14 fair value. At Harvest we really aim to make the rules
15 understandable, easier to implement, and cost effective
16 for firms of all sizes.

17 Harvest is, to use the terms from the paper, an
18 engaged specialist that is engaged by the audit firms.
19 Most of our client base is the second tier and smaller audit
20 firms that struggle because they don't have a full internal
21 department to handle all of their valuation needs.

22 We have been in the business of fair value for audit

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 clients and the reporting industry for more than 20 years.
2 We conduct all of our processes with regard to ASC 820 fair
3 value techniques.

4 All of our processes are manufactured internally
5 at Harvest. We do not resell any prices. So any Harvest
6 price that you get, you know it's independent. We've
7 created it and we've manufactured that price.

8 Our management team has vast financial experience.
9 We have ex-traders, portfolio managers, investment
10 systems development. So, we have kind of a broad array
11 of financial backgrounds.

12 We do present at a lot of the industry conferences.
13 Primarily the AICPA. But we attend and present at a lot
14 of the State conferences.

15 We do a lot of work with employee benefit plans.
16 A lot of speaking at some of those engagements. And I
17 really think in terms of an independent source, we're
18 probably one of the only that exists for reviewing products
19 such as alternative investments, insurance contracts,
20 synthetic GICs and the like.

21 Two of the management team did serve on the Pricing
22 Sources Task Force. And truly we do aim to bring clarity

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 both to the complexities of the market and the complexities
2 of complying to the regulation.

3 I was asked to do a little bit about our process,
4 how we go about valuing our securities. Basically, our
5 process is intended to provide transparent, cost
6 effective, prices and fair values for our audit clients.

7 We basically look a little different than some of
8 the pricing sources that are out there. Because rather
9 than trying to price every security that exists in the
10 universe on a given day, we work on focusing our efforts
11 to our client's portfolios.

12 So, we'll get an audit client that sends their
13 client's portfolio to us. And then we work on those
14 securities and the values of those securities on the
15 valuation date.

16 In order to do that, we take the full portfolio and
17 we classify each individual security into a very, very
18 discrete market sector. Now, this doesn't just mean the
19 issuer sector.

20 I think there's a lot of misconceptions that, you
21 know, all agencies trade the same. All municipals trade
22 the same. All corporates.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 We really dig in and for valuation purposes, it's
2 very, very important to address the structural nuances of
3 each of the security types. That's what helps us
4 determine how to go about valuing each of the securities.

5 I would also like to point out that the market has
6 become more robust in the structures that are being used.
7 Prior to the financial crisis, we saw a lot of structuring
8 in the assets.

9 And then, we kind of saw it go away. And now, as
10 of the 2014 audit season, most of the CDs we saw were
11 structured items that had coupons linked to all sorts of
12 external indices.

13 And it would be very, very, very easy for a field
14 auditor to pass over those items. Similarly to how it's
15 very easy to pass over an alternative investment because
16 a lot of the names look just like a mutual fund.

17 So, we basically go about sectoring all these items
18 by subscribing to multiple sources. All of the basic
19 standard financial information. We subscribe to all of
20 that.

21 We use 200 data points on every single item in order
22 to appropriately define it into a sector. We also store

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 all of the trading that occurs in the marketplace on a daily
2 basis.

3 We take all of the trades from the exchanges and
4 reporting systems and we store those in our internal
5 processes. And we use those as the basis for a lot of the
6 documentation for our prices.

7 The first thing that we do once we've sectorized the
8 securities, is we do check every single security against
9 our vast database of traded securities to make sure if
10 something is traded.

11 We have very strict, consistent policies in terms
12 of what will pass our QA in order to use a trade or a roll
13 of a trade that occurred, that address value and proximity,
14 outlier trades, that sort of thing.

15 If we have the trades and the QAs, we go ahead and
16 we use that price. If we can't -- if we have an item that
17 doesn't have any trading, or if we have an item that doesn't
18 pass our QA, it does fall to kind of a modeled approach.

19 We go about pricing and determining the models
20 based on the structural features. So, if we have an item
21 that has a lot of options, we would use an option adjusted,
22 discounted cash flow model.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 I'd like to kind of reiterate what Andreas said,
2 every time I come to D.C., I hear a lot of talk about the
3 model and the model and the model. And I would say --
4 actually, I'm going to come out, I've been at Harvest for
5 12 years, I've never had a valuation variance that was the
6 result of a model.

7 It's always the input. And the key is really how
8 well you can document the input that you're using.

9 So once we get through, we basically pull of our
10 documentation from our inputs using the highest level
11 inputs that we can and that can be observed in the
12 marketplace. We use our database of comparable trades
13 that are sectorred. And we can identify many and put them
14 together.

15 We look at specific deal performance. We look at
16 the credit features and structural nuances. There are
17 many checks along each of these different pieces. And
18 each is documented for our valuation system.

19 So, basically, we follow a very consistent process
20 that we're using the highest level inputs that are
21 available in the marketplace in order to come up with the
22 values for our securities.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 We do also help with -- oh, one important thing,
2 we level according to how the security is valued. So
3 according to the guidance, the level should be based on
4 the lowest level input used in a valuation.

5 At Harvest, we do do that. So, we know, because
6 we've documented each of the inputs. If there's one that
7 we can't document as strongly as we'd like, that security
8 will drop to a level three.

9 We do help our clients with follow up and
10 documentation. Right up front we give -- every price
11 comes with the model that we used, the level that we used,
12 a link to the general methodology for that sector.

13 So we have a kind of a lot of information in our
14 very basic report as to where the prices come from. So
15 you would know right up front if it's based on documented
16 trading in the exact security.

17 Or if it's based on trading in a like security. If
18 it's based on some sort of other model that would be listed.

19 We do make all of our pricing inputs available to
20 our clients. In addition, and probably more importantly,
21 we give the reason and the documentation and what you can
22 observe to support each of the inputs that we use.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 With regard to quality control, you know, we have
2 a lot of things in place. I would say the first thing is
3 that we have a preapproval process.

4 We're primarily engaged by the auditors. But we
5 do have quite a few direct clients that come to us in search
6 of some of our expertise.

7 We never take a direct client unless we confirm with
8 the audit client that it's okay. Most of that generally
9 comes from referrals from our audit clients. And we
10 always get preapproval before we move forward in that vein.

11 We work very carefully with each of our audit
12 clients. We do conduct preplanning. I wouldn't say
13 every single, but the majority of the firms we work with,
14 come onsite.

15 They talk with us. We review how the process is
16 going to go on an annual basis. We have preplanning
17 meetings. And we discuss their objectives. The scope
18 and expected volume of the work. The deliverables.

19 We review the Harvest reports and the samples that
20 we have, to show them exactly what they'll get. We discuss
21 issues that might affect timing and return of the prices.

22 We discuss our qualifications and our processes.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 We do do a sample review of several different security
2 types and the process that we go through to value those.

3 We also assist the audit firms with enforcing
4 national rules from the standards groups at the central
5 offices. We can assist when field auditors come to us,
6 in helping pick appropriate samples that address risk and
7 other features.

8 We do help with follow up work with regard to
9 variances. Again, most of the time, those variances
10 result from the actual input.

11 And you know, I think there's been several comments
12 about how widespread when you look at some of these level
13 two and level three type instruments, that the two
14 different prices are. I do think that's a little bit of
15 a challenge.

16 Because sometimes when we get to the bottom line
17 and we're looking at a level three, we're following the
18 guidance in terms of saying, when the level three guidance
19 came out, it specifically states that you are to use the
20 inputs that would compensate both the buyer and the seller
21 for the inherent risks in the deal. We adhere to that.

22 So basically what we're trying to get to is kind

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 of a middle market price where a buyer and a seller would
2 execute a trade. Not the price where the client
3 necessarily wishes it was, or the price where someone could
4 for sure sell it to any number of people in ten minutes.

5 We're looking kind of for that cross level where
6 two people would be comfortable transacting.

7 With regard to independence, again, we do
8 manufacture all of our own prices. We document
9 everything. We're not a reseller.

10 We've given some thought to the staff consultation
11 paper with regard to conflicts of interest and investor
12 tests. We would be prepared to put in place some sort of
13 employee background investigation policy that could
14 potentially address material conflicts.

15 And we could potentially sort through that as the
16 reports came in the door to ensure that we wouldn't have
17 conflicts in that area.

18 We do have quality assurance steps at every single
19 stage of the process, data management and valuation. Some
20 of the checks are automated and some are human.

21 We never have an analyst only do a valuation.
22 Everything's always checked by two people.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And lastly, with regard to skepticism, our analysts
2 are trained to apply skepticism. You know, I think that
3 what the engaged specialist might lack in terms of adhering
4 to 10 and following the firm independence rules, we do not
5 have any stake whatsoever in the results of the audit.

6 So, I think that there is a true independence that
7 comes from an engaged specialist that doesn't necessarily
8 exist with a lot of the other methodologies.

9 So, at that, I'm happy to answer any questions that
10 you have regarding our current practices and the
11 feasibility of some of the new practices that are
12 suggested. Thank you.

13 MR. SCATES: Okay. Thanks, Susie. Let's turn to
14 Dan Olds.

15 MR. OLDS: Okay. Well, good afternoon, everyone.
16 I'm Dan Olds. And I'm a Petroleum Engineer. I've been
17 doing valuation work for over 30 years.

18 Ryder Scott is one of the largest valuation firms
19 of its kind in the world. We've been around since 1937.
20 We've got about 130, 140 people in three offices. And we
21 work pretty much worldwide.

22 We worked for public companies. We worked for

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 private companies. We worked for national oil companies.
2 We worked for governments. Chances are, if it's an oil
3 and gas project any place in the world, we've probably
4 taken a look at it.

5 So, with that, with the seven minutes I'm allotted,
6 I can't explain to you how we value oil and gas reserves.
7 So I'm not even going to try.

8 But I am going to try to focus on the things that
9 the PCAOB asked me to focus on. And the first thing here
10 is, how does the industry work?

11 Well, there's no SEC requirement to use a third
12 party firm like ourselves for reserves. Many companies
13 calculate their own reserves with their own internal
14 staff.

15 They all have their own engineers and geologists
16 to do the work. But, third party firms like Ryder Scott
17 may be engaged by companies to either calculate or part
18 of the reserves or audit their reserve calculations.

19 And let me add that some companies use multiple
20 consultants like us depending on the project and the
21 expertise that they're looking for. So we may be one of
22 many consultants that a large company might use.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And why would a company want to use a third party
2 like us if the SEC says they don't have to? Well, an
3 independent objective view may be required by the Board
4 of Directors, the audit committee, or the financial
5 backers, the bankers, the investors may demand that the
6 company use an independent firm like ourselves.

7 We have specialized technical expertise or
8 specific experience in a particular area. Especially
9 since typical third party firms like ourselves get to see
10 many different projects around the world.

11 Familiarity with SEC requirements and latest
12 industry issues is also something that, you know, we do
13 this every day. Whereas companies' reserve engineers are
14 not necessarily doing SEC reserve work.

15 They're doing the internal kind of work necessary
16 for business planning, budgeting, and project
17 development, which SEC would be a subset of that. That's
18 a very specialized subset.

19 And of course outsourcing. You know, some
20 companies prefer to have us do all the work rather than
21 have the staff themselves.

22 Quality control, how do we do it at Ryder Scott?

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Well, I mean first of all, our staff is required to avoid
2 any investments in client companies or oil and gas
3 properties that would create or give the appearance of a
4 conflict of interest.

5 We have significant continuing education both
6 in-house and outside. You have to be a State licensed
7 engineer to be an officer of the company and to be able
8 to sign reports that go out the door.

9 The younger staff works under the direction of more
10 experienced staff. Company officers review final reports
11 before signing off.

12 And of course, it kind of goes without saying, is
13 when a project comes in, we look for expertise in the
14 company and assign that project to whoever we feel has the
15 best expertise in that area.

16 We have various error checking procedures used
17 throughout the process to ensure the integrity of reports.
18 Including frequent communication with the client.

19 And what I mean by that is that in many situations,
20 our clients are the real experts. They have, you know,
21 for a large oil company, they may have a staff of people
22 as large as what's in the room working on a large project

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 year around.

2 So, they're the experts. They really know these
3 properties. When we come in, we may do the year end
4 reserve calculations, but it's somewhat of an integrated
5 process that we come up with a number and we show them what
6 our number is.

7 And they can say well, yes, we agree with that.
8 Or no, you've missed something. You know, because there's
9 so much data. We can't look at everything.

10 But, they can help us focus on the key points. And
11 help us to show us where we might have missed something.

12 And sometimes, we acknowledge that. And we say
13 yes, we see your point and we can adjust our numbers. And
14 then other times, we say well, we just have a fundamental
15 disagreement and we're just going to have to agree to
16 disagree on this.

17 Neither our employment to do the work nor the
18 compensation is contingent on our estimates or reserves
19 for the properties in our reports. You know, our pay is
20 not contingent on the answer that we come up with.

21 Certification was mentioned earlier today. There
22 is no industry certification for what we do that's

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 mandatory.

2 I'm a State licensed engineer. I'm a petroleum
3 engineer. But, that doesn't really have specific
4 evaluation experience, it's more general. All petroleum
5 engineering aspects.

6 But, having said that, we do pursue some
7 certification in the form of I'm a member of an
8 organization that requires ten years of specific
9 evaluation experience and references. We encourage that.
10 We have addressed some of the certification issues that
11 were mentioned here earlier today.

12 Working for an audit firm. Well, I have a
13 background for nine years I worked for an audit firm as
14 a part of their energy consulting group.

15 And I can say with confidence that audit firms have
16 oil and gas staff well qualified to opine on accounting
17 issues and general SEC compliance. But, I would have to
18 say that, you know, in my experience, that the audit firms
19 may have limited or no staff with significant experience
20 to opine on detailed engineering issues.

21 For example, you know, even if you're not really
22 up on oil and gas, you're probably aware of the big shale

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 projects that's going on worldwide. And specifically in
2 the United States.

3 And you know, that's one of the areas where we don't
4 have a lot of history. The oldest shale project in the
5 United States only started producing in the late '90s
6 really.

7 And we don't know how it's going to have a 50-year
8 life. Because we've not seen it yet. So, you know,
9 questions about the future performance on these kinds of
10 projects is something that I would not expect an accounting
11 firm to be able to look at with any level of expertise.

12 Situations that we encounter every day like the
13 appropriate determination of reserves, classifications
14 for undeveloped locations, that's always a big issue in
15 our industry. That's again, that's something that I would
16 expect accounting firms to have a general idea.

17 But to be able to argue the nuances of something
18 like that, would not be something that I would expect.

19 So, one of the questions that was put to me is, how
20 would working for an audit firm differ from working for
21 the company? And from my perspective, it wouldn't make
22 any difference.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 We don't couch our answer on who our employer is.
2 We want the right answer. So we would not differentiate.
3 We would expect to follow the same workflow and to arrive
4 at the same conclusions regardless of the client.

5 I would point out that we often work for multiple
6 companies who have interest in the same property. You may
7 not be aware that most oil companies manage their risks.
8 They manage their portfolio by not owning 100 percent of
9 these large projects.

10 There may be multiple owners. Many large oil
11 companies, maybe some national oil companies. Every deal
12 is different.

13 And it's not uncommon for us to be working for two
14 clients who have ownership in the same property. And in
15 that case, we have the same answer for both clients.

16 Working directly with the company generally
17 ensures better access to data and the company staff. As
18 I mentioned earlier, it's somewhat of an integrated
19 process.

20 The company's staff is really the number one
21 expert. And to think that we could come up with a good
22 answer without consulting with them, and again, I want to

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 point out, not accepting their views in all cases.

2 But getting the benefit of their knowledge is an
3 important part of the process. And the other thing is
4 that, you know, reserve work goes on year round.

5 In talking about some of the issues here of, you
6 know, how would it differ if we were engaged by an audit
7 firm? Well, you know, there's not enough time at year end
8 to start the reserve work and get an answer.

9 You know, if we start on December 31, you know, it's
10 not going to work. We do a lot of projects in the summer
11 which is our -- we used to say that summer was the slow
12 season. But now it's just our less busy season.

13 But many large clients will have us work on special
14 projects, general engineering issues that will have some
15 applicability to year end reserve work. And so, you know,
16 we manage our work load by working on those kinds of
17 projects.

18 The reserve work goes on almost year round. And
19 so that would be an important thing to consider here.

20 And that's probably about all I can fit in my seven
21 minutes. But I look forward to any questions that you may
22 have later on. Thank you.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. SCATES: Okay. Thanks Dan. And now we'll
2 turn over to David Kane.

3 MR. KANE: Thank you. I'm going to spend a couple
4 of minutes talking about how auditors use employed
5 specialists.

6 And just by necessity, I'm going to talk about it
7 more from one firm's perspective. But there will be some
8 similarities and differences I expect between firms,
9 whether large orient or smaller.

10 So, the first question here on the slide is, when
11 to involve a specialist? So, the audit team considers
12 several factors when deciding whether to involve an
13 employed specialist or internal specialist.

14 Complexity of and the judgement associated with the
15 estimate. The significance of the financial statement
16 assertion. Thinking about the risk of a material
17 misstatement, whether it's due to error or by fraud.

18 Effectiveness of the company's internal controls.
19 Whether the client in turn, has used a specialist. And
20 also, what's the team knowledge, past history, experience
21 with the estimate and experience with the client.

22 Importantly, once a decision is made to include a

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 specialist, that specialist is viewed as part of the
2 engagement team and is subject to additional quality
3 control, review and procedures, just like everybody else
4 on the team, which I'll touch on in a moment.

5 So, when evaluating the specialist's
6 qualifications, teams are supposed to consider the
7 competence, the capability and the objectivity of the
8 specialist. But typically, teams will rely on the firm's
9 system of quality control in order to make those
10 determinations.

11 So here what are we thinking about? The
12 independence, monitoring and reporting. The firm's
13 internal recruitment and training programs. And the
14 training is particularly important.

15 So, specialists will go through foundation courses
16 on auditing that includes whatever the financial reporting
17 framework is. It might be U.S. GAAP. It might be IFRS.
18 Go through PCAOB standards in the firm's audit
19 methodology.

20 In addition, each year they are required to go
21 through a continuing professional education course
22 similar to what the auditors would go through.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And here it gives us a chance to talk about hot
2 button issues, emerging trends, inspection issues,
3 whether it be internal or external and gives us a great
4 platform to reemphasize the need for audit quality and for
5 professional skepticism.

6 There's also a quality review program similar to
7 what we have on the audit side, where specialist work will
8 be selected and reviewed periodically.

9 Another key step here is thinking about the
10 agreement of the work to be performed. So I would say
11 there is probably three key aspects of that.

12 Early planning, the coordination and the
13 communication. And probably the last piece, which is the
14 most important, is the collaboration.

15 So, the planning begins up front. So typically,
16 there will be an estimates event, where the team will come
17 together with the specialist as part of making the risk
18 assessment and that determination.

19 And as part of that, they'll walk through, what's
20 the nature of the inputs, the processes, the assumptions,
21 the methods. As well as discuss what the client's
22 internal control looks like, both in terms of the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 development of the estimate as well as the ultimate review
2 of that.

3 And coming out of that will be an agreement about
4 the nature, timing and extent of the procedures that are
5 going to be performed in that area. There will also be
6 roles and responsibilities.

7 Communication protocols will be set up between the
8 audit team and the specialist. And also what the
9 documentation is going to look like.

10 So, typically the specialists have some standard
11 documentation that will be provided to the team, both up
12 front in terms of planning, as well as the end in terms
13 of conclusions, that typically describe the procedures
14 performed, results obtained, the scope and things similar
15 to that.

16 Once agreed, the other key part is collaboration.
17 So, what we've seen on positive quality events is when the
18 team works collectively and collaboratively to look at
19 methods and assumptions.

20 So Andreas mentioned earlier, discussions with the
21 client for example. Attending client meetings together.
22 And really, it's when the team truly understands the model,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 understands sensitivity of the inputs, and understands
2 most of the underlying economics of how the instrument is
3 priced in terms of the market.

4 So, several considerations here when evaluating
5 the specialist's work. First thing is, the specialist's
6 work is subject to a detail review by a more senior
7 specialist.

8 And will also be subject to a partner, principal
9 or executive director review by the specialist. It's also
10 subject to a general review by the audit team as well.

11 Key here, is that when we're talking to teams about
12 using the work of a specialist and evaluating it, you want
13 to be thinking about it the same way with professional
14 skepticism that you do as if the client handed it to you.

15 So we've been working with the specialist all along
16 the way in terms of the nature, timing and extent of the
17 procedures, and partnering with them all during the audit,
18 minimizes the chance for surprises at the end. Which I
19 think is very important in these circumstances.

20 So, what's the audit team looking at when they get
21 this? They're going to be looking at some of the things
22 that we talked about this morning.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 What's the relevance and the reasonableness of the
2 assumptions, of the inputs, of the methods? Making sure
3 that the conclusions ultimately support what the
4 objectives were and what the financial reporting or
5 financial statement assertion was.

6 They're also looking at the source data and making
7 sure that that was all appropriately covered as well.
8 Ultimately though, it's the audit team that's responsible
9 for determining what constitutes sufficient, appropriate
10 audit evidence.

11 If the team is satisfied that the results support
12 their conclusions, they could reasonably conclude that the
13 specialist work is adequate. So that concept comes out
14 of AU 336.

15 With respect to documentation, so when using an
16 internal specialist, we view that documentation no
17 different than if it was prepared by any other staff
18 member.

19 So, the documentation for the team as part of the
20 archive has to stand the evaluation of that an experienced
21 auditor with no, you know, history with a client, or with
22 the team, has to be able to come in and make a determination

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 about whether the papers are clear about the nature, timing
2 and extent of the procedures, the results obtained and the
3 conclusions reached.

4 So again, no different than any other member of the
5 team. In terms of the auditor engaged specialist, less
6 common I suspect at the larger firms, key differences are,
7 that you can't rely on the system of quality control, so
8 you have to be here thinking about independence,
9 objectivity, competency and capabilities.

10 You also have to think about confidentiality to
11 make sure that the specialist is going to respect that.
12 And then there's a free exchange of information between
13 the auditor and the specialist.

14 A couple of observations here similar to what I made
15 this morning. I think there are some opportunities to
16 enhance AU 336 here and probably pick up some of the
17 language that's in ISA 620 and AU 620.

18 I think the other point here too, is the rescission
19 of AU 336 would probably have more significant
20 consequences for auditors and for companies. When I think
21 about ASC 820, and when that was issued, there was a lot
22 of discussion about fair value measurements.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 What's the framework? Thinking about market
2 participant assumptions. And specialists and auditors
3 really used that opportunity, I think, to up the game.

4 Where auditors understood much more in terms of the
5 framework and actually how these instruments are priced.
6 And I think coming out of that became better auditors.

7 No matter how much time you spend with an auditor,
8 you're not going to be able to convert them to a reserve
9 engineer with this. So, I think there has to be some
10 recognition and reliance on the fact that someone is a
11 specialist and outside of the auditor's expertise.

12 That's what I have. The big picture.

13 MR. SCATES: All right. Thank you, David. Now,
14 we'll turn to our last panelist, Efrim Boritz. Efrim?

15 MR. BORITZ: Thank you very much. And thanks for
16 inviting me to share some of my research with you.

17 The material that I'll be covering with you is the
18 result of the last two years of work by a team of four
19 people. It's based on 40 interviews of all Big Six firms,
20 including half auditors at the various levels, various
21 ranks, and specialists in various specialty areas.

22 So, I only have four main headings that I'm going

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to be covering with you. Planning, supervision,
2 coordination, review. Then some issues about the
3 definition of specialists and engagement teams. And then
4 some other observations.

5 One of the things that I observed in conducting
6 these interviews is that the audit teams have accepted the
7 notion that the audits are modules. They're modularized
8 like Lego pieces. Or someone used the example of IKEA
9 furniture.

10 So, the different parts of the engagement are
11 carved out and passed over to specialty groups in certain
12 areas. And this is not a criticism, but it's a certain
13 way of thinking.

14 Because with that modularization comes a handing
15 over of certain responsibilities. And that can raise some
16 issues that I'll get too later.

17 The modularization is not just modularization
18 sections of the audit, but you can think of it as a certain
19 slicing of layers. There are specialists who have
20 expertise and knowledge that other members of the audit
21 team do not.

22 So, there's -- they're just carved apart by virtue

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 of the fact that they have different competencies. And
2 they have different languages and different ways of
3 talking. So, any standards have to take into account the
4 fact that there is this layering.

5 In many of the firms, the specialists are in
6 different business units or different divisions.
7 Although, when they're working on the audits, they
8 interact with the other members of the audit team.

9 They really have a different reporting chain, a
10 different way of being employed, quality controlled, and
11 they have their own review process as David has just
12 mentioned, that may not be transparent to the audit team.
13 There's a requirement for a lot of trust in the firm's way
14 of organizing itself and the quality control process.

15 And the modularization also exists in the archives
16 and databases that the firms use to manage their audits.
17 It's quite common for the specialists to write memos to
18 file summarizing the work they've done on their module and
19 deposit it in the archive.

20 And then it depends very much on the proactiveness
21 of the management team, the management of the audit, to
22 make sure that those things -- those modules click together

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 properly. Because it's very common in the way today's
2 audits are managed for those to be really individually
3 manageable and individually completeable sections.

4 The participation in the engagement is very much
5 at the discretion of the partner in charge or the manager
6 in charge of the audit. The specialist's role is passive.
7 And they -- even when they have knowledge about the
8 industry, they may not be able to apply it.

9 They're often not in the position to question
10 whether their involvement -- their lack of involvement is
11 properly determined or not.

12 So, this is unsatisfying to many specialists. And
13 it does not necessarily lead to the team spirit or the
14 cohesive engagement team that we would want to imagine.

15 The integration of specialists into planning is not
16 always carried out consistently. Some specialists are
17 routinely brought into the planning meetings. And others
18 have their own separate meetings.

19 So for example, tax specialists and IT specialists
20 are routinely involved in up front planning. Valuation
21 specialists and forensics and others that are brought in
22 as plug-ins, may not.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And so the integration that is necessary for a team
2 to be a team, is often not there. And should be addressed
3 in any kind of standard revision.

4 I'd also want to mention the distinction between
5 the involvement and scope. Many of the firms have
6 policies that specialists need to be involved in some
7 aspect of planning and so forth, and they are.

8 But, the distinction when you dig deeper into
9 discussions with the various participants, you find that
10 the difference between involvement and scope can be very
11 dramatic.

12 So, the -- so you need to know the language of the
13 auditors. And the specialists' involvement may be
14 insignificant. But it's checked off as involvement and
15 complies with firm policy.

16 The scope may be insignificant, but the involvement
17 is binary. So, it's something to be aware of.

18 My next set of points deal with supervision,
19 coordination and review. In our interviews, we became
20 aware of both auditors and specialists being concerned
21 about the coordination and communication.

22 Especially because of this modularization that I

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 referred to, often specialists are given their assignment,
2 they're given a time line, maybe a budget. And they're
3 really then performed.

4 There is often not as much communication in that
5 process as both parties would like. That's obviously to
6 do with management and firm dynamics and busyness.

7 Again, this is not finding fault, but both
8 specialists and auditors complain about gaps in
9 communication. And of course, that means that there's not
10 the benefit of transferring information and knowledge
11 about issues as they arise in a timely manner and can lead
12 to issues.

13 The auditors often assume that review is done by
14 specialists. And from what my understanding is, and from
15 what I've heard David say, there is a separate review
16 process that exists within the specialist ranks.

17 And it might be very effective. But the auditors
18 are not very involved in that process. They have their
19 review process is much higher level. There is a process,
20 but it's much higher level.

21 And there is not as much transparency in the review
22 process that's carried out by specialists of specialists,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 in terms of the employed specialist teams as you would want
2 if the engagement team were truly charged with being
3 responsible for this. As being solely responsible as some
4 of the standards essentially assert.

5 Specialists are at times -- do at times accept the
6 audit work at face value. Some are not able to because
7 they don't have enough accounting or auditing training to
8 challenge the evidential quality of the data that they're
9 given to put into their models.

10 And for example, one of the examples that was used
11 frequently was that many tax specialists can provide an
12 assessment of the propriety of the provision. But they
13 can't actually evaluate the propriety of the disclosure
14 and the accounting for that provision.

15 And that's because some of them have a lot of tax
16 expertise, but not a lot of accounting or auditing
17 expertise.

18 Specialists believe that the auditors that they
19 work with do not have the capacity to thoroughly review
20 their work. This is not to say that they don't think the
21 work is carried out properly or that it's not reviewed,
22 it is reviewed.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 But, to the extent that the auditors, the audit team
2 is charged with driver -- of being in the driver's seat
3 and driving this process, they may not have the competency.

4 And you could imagine that this would have to be
5 the case. The reason they're using specialists in the
6 first place is because the specialists have knowledge,
7 skill and experience that they themselves don't have.

8 So, it would be asking for too much to demand that
9 they perform a detailed effective review.

10 My third main set of points deals with definitions.
11 I am not a fan of the definition of specialists in our
12 standards. That for example, use the phrase other than
13 accounting or auditing. But I could live with that.

14 I am certainly not a fan of the fact that what goes
15 into that other than accounting or auditing. I've already
16 mentioned IT specialists and tax specialists.

17 They're considered to be within the accounting and
18 auditing realm. And therefore, most of what we're talking
19 about here is not being addressed.

20 But, within the firms, those people are viewed as
21 specialists. They are in different business units in many
22 cases. They do have different reporting structures.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And in some cases, increasingly I think, but this,
2 it would have to be verified by others who may know more
3 about this than I do, increasingly, the forensic, IT, tax
4 and even valuation specialists, do not have strong
5 accounting or auditing backgrounds.

6 They have backgrounds, deep backgrounds in their
7 field of specialization, valuation, finance, IT,
8 forensic, tax, but so this illusion that our standards have
9 that tax, IT and forensics for example, are a part of the
10 accounting or auditing background of large audits of
11 sophisticated companies, I think should be challenged.

12 It's true I think for smaller companies that this
13 is something that you can't often assume. But, for the
14 large type of audits and the people involved in those large
15 audits, both the auditors and the specialists, I simply
16 don't think that that's accurate.

17 And to the extent that we don't include them,
18 because they're just invisible in the standards right now,
19 because they're just an exclusion, we don't benefit from
20 having the standards provide guidance for people who work
21 in these specialties. And who are very, very important,
22 in some firms they are mandated to be involved on every

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 audit, especially in the large firms.

2 I also have some issues with the definition of
3 engagement team. And that's because of things that I've
4 already said.

5 The engagement team I think, is a virtual team.
6 But it's not a team the way we think of as a football team
7 or a baseball team.

8 They don't work together in many cases. They do
9 sometimes. Sometimes the doorway to the client for a
10 valuation specialist is through the auditing member.

11 But once the door is opened, the valuation
12 specialist is basically there interacting with the client.
13 The IT specialist is basically there interacting with the
14 client.

15 So, it's not -- and of course, I'm sure that there
16 are instances where they work hand in hand and collaborate
17 and so forth. But in the large audits that have as the
18 consultation paper refers to, they may have four or five
19 different specialists working on it.

20 That type of collaboration I think is a luxury.
21 And it's just, from what I understand from the research
22 we did, it doesn't occur that often.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 So, there are barriers to team spirit as well
2 because the specialists don't want to be buried in the
3 engagement team. They have, as I say, they're part of
4 different divisions.

5 They have their own training. Increasingly,
6 that's more in their fields of expertise than accounting
7 or auditing.

8 And although in our research the auditors would
9 love to have the specialists integrated into their team,
10 the specialists are not keen on being integrated because
11 they have their own identities and view themselves as part
12 of a service.

13 In other words, they're almost like an engaged
14 specialist except that they're employed within the firm.
15 And of course, they're managed properly in that regard.

16 MR. SCATES: Efrim, if you could -- I think we'll
17 conclude there.

18 MR. BORITZ: This is my last slide.

19 MR. SCATES: If you could wrap it up in a minute
20 that would be very good.

21 MR. BORITZ: Okay. Thank you, yes.

22 MR. SCATES: Thanks.

1 MR. BORITZ: So, one of the observations I made is
2 that with the way of the modularizations taking place, I
3 believe that there is a deskilling of the regular audit
4 staff. And we should be aware of that.

5 Employed specialists have, as I've said, have
6 limited account knowledge and limited understanding of
7 professional ethics in some respects.

8 And however, we found a number of instances where
9 employed specialists appeared to be more skeptical than
10 members of the audit team because they did not have as big
11 of an investment in the client as the audit team did.

12 MR. SCATES: Okay. Well, thank you. Thank you,
13 Efrim. Thank you for all the panelists.

14 And what we'd like to do now is, I know you're going
15 to have questions of the panelists and an ongoing dialogue.
16 We have two sets of alternatives to consider with respect
17 to using the work of the auditor specialist.

18 The second set we'll just discuss in a few minutes
19 that has to do with the subject that we alluded to this
20 morning, and the panelists have brought it up, with respect
21 to independence and objectivity for the engaged
22 specialist.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And we'll talk about that in a few minutes. What
2 I'd like to talk about now, is your views on alternatives
3 with respect to oversight or supervision.

4 The first alternative would be to develop a
5 separate standard that would apply to the work of both
6 employed and engaged specialists. And then the second
7 alternative would be to extend the existing supervision
8 requirements in AS 10 to the work of engaged specialists.

9 So now, I would like to open -- I think we want to
10 first address the tent cards we have from this morning.

11 MR. BAUMANN: But even if we were to extend on the
12 last slide, Greg, the AS 10 requirements to engaged
13 specialists, I think we also have teed up a question in
14 this consultation paper that is specialists are different
15 than accountants and auditors.

16 So should there be different or is it more specific
17 supervisory requirements in overseeing the work of a
18 specialist then in overseeing the work of an accountant
19 or auditor, given the fact that auditors who are doing that
20 supervisory work don't necessarily have the expertise in
21 the field of the specialty?

22 So with that, please your questions can go to us.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Or comments can go to the management specialists, auditor
2 specialists.

3 But, Chuck Senatore, you had the first card up.

4 MR. SENATORE: Thanks Marty. It's a question and
5 a comment, and it was actually inspired by Sir David when
6 he talked a little bit earlier about sort about the
7 conundrum around specialists and estimates.

8 So, you know, the one thing that struck me from his
9 remarks was, you could have an estimated value that's here,
10 that's reasonable. You can have one that's here that's
11 reasonable.

12 And the delta could be quite severe. And he
13 mentioned that there's really -- so he felt bad for the
14 auditors in terms of having to face that.

15 But I guess, what I'm thinking about now, is not
16 only just feeling bad for the auditors, but feeling bad
17 for an investor in terms of having such a swag like that.

18 So, I guess my question is, is there a gap in the
19 standard? Now, I don't pretend to be a student of this,
20 and I basically just know what I read in the materials.

21 But when I look at the standards for AU Section 336,
22 so you think about that framework, because there's a three

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 part test. The appropriateness and the reasonableness
2 and the method of the assumptions are the responsibility
3 of the specialist.

4 And then the auditor should obtain and
5 understanding of those methods and assumptions unless the
6 procedures lead him to believe that the findings are
7 unreasonable. And then once you hit that unreasonable
8 level, then, you know, you might want to take a look at
9 another specialist or other procedure.

10 So my question is, when you think about the fact
11 that estimates are inherently, you know, they're not
12 precise. You can have a number of different reasonable
13 estimates.

14 And they actually can vary quite a bit. It would
15 be a horrible outcome if an investor had no idea that there
16 was such a delta between a number of different reasonable
17 estimates.

18 So my question is, the way I read this is that,
19 there's a danger at least looking at this literally. That
20 once you get -- let's say you got to one of the reasonable
21 outcomes.

22 And let's assume, let's say -- I don't know whether

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 it was Phil or Doug that mentioned this, but let's assume
2 that, you know, we're talking about a specialist that's
3 really sort of tied to telling management what they want
4 to see or hear.

5 But once you get to that one really good for,
6 favorable to management, reasonable outcome, is it pencils
7 down under the standard? Because you basically -- it's
8 reasonable, we're done.

9 It feels like a gap to me. I don't know when you
10 look at Auditing Standard 10 where there's a little bit
11 more of a foundation for digging, at least the way I read
12 it in terms of the second element, where the auditor can
13 direct the specialist to bring issues to the attention of
14 the auditor so that the auditor can evaluate those issues.

15 I guess my question is, and maybe there are things
16 that happen in practice as we learned earlier that may go
17 beyond the threshold of what the standard requires.

18 But, it would seem to be a shame to have a
19 circumstance where an investor, when you think about the
20 hypothetical that Sir David talked about, not being aware
21 of a delta simply because applying a standard, with the
22 auditor basically found a reasonable outcome without

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 really investigating whether there was another reasonable
2 outcome, and it was pencils down.

3 So I guess it's really more of a question in terms
4 of whether that there's a gap in the standard in light of
5 that possibility?

6 MR. BAUMANN: Well, it's a really good question.
7 And it's really I think at the heart of what we're exploring
8 here.

9 Not only in this project, but in the estimates in
10 fair value projects. And you'll hear more about this
11 again this afternoon.

12 AU 336, part of the issue is that it was written
13 some years ago. And written before the risk assessment
14 standards.

15 So if you just looked at 336 by itself, you might
16 say pencils down if you found that specialist work to be
17 not unreasonable. The engaged specialist's work to be not
18 unreasonable.

19 However, the risk assessment standards say
20 estimation uncertainty is something the auditor should
21 take into account in assessing the risk of material
22 misstatement.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 So if there's a wide degree of estimation
2 uncertainty or a wide range of possible outcomes there,
3 the auditor should take that into account in terms of their
4 nature, extent, and scope of audit procedures.

5 And therefore, 336 right now is not directly linked
6 to the risk assessment standards. One of the issues in
7 terms of our need to update the standard.

8 Because to your point, and many commenters on the
9 previous consultation paper on estimates and fair value
10 said they've encountered situations where estimation
11 uncertainty can actually be wider than materiality
12 established by the auditor for the financial statements
13 as a whole.

14 And what do we do when estimation uncertainty, any
15 of those outcomes in there seem reasonable, but that
16 estimation uncertainty is wider than the materiality we've
17 established? Well one thing hopefully is that the
18 financial statements disclose that estimation
19 uncertainty.

20 But what else should the auditor be doing? They
21 can't narrow it, the estimation uncertainty.

22 But what procedures should they be performing to

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 determine that management has determined most reasonable
2 estimate within there, or how management has rejected
3 other alternative assumptions, you know, within that wide
4 range.

5 So, your question, I think I don't have an answer
6 to it. It's part of this whole project of improving our
7 standard around specialists. And improving our standards
8 around fair values and estimates to say yes, I have to
9 pursue that further. It's not just pencils down.

10 MR. SENATORE: I guess this -- my only other point
11 here and it's a follow up, is a wrinkle in terms of the
12 specialist scenario. Because by definition, there's a
13 great deal of reliance.

14 We just talked about how there's no inherent
15 expertise in some areas. And you are relying on somebody
16 else.

17 So the question to me is, does the standard need
18 to reflect some degree of accountability, control or
19 ability to kind of see when you are relying so much on
20 another party to even know if you have a window of different
21 estimates that might end up falling into what you talked
22 about.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 I just don't know whether that's present.

2 MR. BAUMANN: That's a really good question as
3 well. Because, does the auditor know that the specialist
4 has a wide range of estimation uncertainty?

5 The auditor may not know that unless that's
6 properly communicated from the specialist to the auditor.
7 So there are a lot of good questions there that you've teed
8 up for us to consider as part of our standard setting.

9 Next was John Lukomnik. I probably say that wrong
10 every time John.

11 MR. LUKOMNIK: John is fine.

12 (Laughter)

13 MR. LUKOMNIK: So this also goes back to the
14 discussion before lunch. But it probably has more
15 relevance now, so I'm glad it got held.

16 The discussion before lunch was sort of incremental
17 modifications to 336. And I'd like to suggest that you
18 consider what I would call a low cost chicken soup
19 approach.

20 Which is, you know, something that couldn't hurt.
21 I would say probably would help. But at lunch we were
22 having a discussion about the definition of probability.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 So I'll say might help.

2 There is no requirement in 336 for requiring
3 communications from the auditor to the specialist.
4 That's important for a couple of reasons.

5 Number one, there's all sorts of behavioral studies
6 that's just a, when you remind someone of what they're
7 supposed to do ethically, they actually -- there's an
8 increase statistically in ethical behavior.

9 But more importantly, and this gets exactly to your
10 point and to one of the things Susie said, for company
11 hired, for company employed or engaged specialists, their
12 estimations are done for a purpose other than for audit
13 usually.

14 They're done for valuation of a merger or a sale
15 and acquisition as Loretta had mentioned. I've been
16 engaged as a risk manager on financial products, and Susie
17 gave her thing, which was, it's not a clearing price in
18 ten minutes where you can sell everything. Neither is it
19 what management should be.

20 But if you're a risk manager, you may in fact be
21 saying okay, if there's a liquidity constraint situation,
22 what's the market clearing a price for this strange

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 derivative within five days, which is what you've promised
2 people? Which may be different than fair value.

3 If in fact there's no required communication from
4 the auditor to the company either engaged or employed
5 specialist, there's no reason to assume that they will
6 understand what the purpose of their estimation is.

7 And I think it would be very helpful, you can't
8 control what someone not in the employ of the auditor does
9 necessarily. But you can certainly influence behavior by
10 saying, here is how we are planning to use it.

11 Here is the purpose to which it is to be used. Here
12 are the standards that we think we are applying. Do they
13 match that? Or are you in fact, did you do it, did you
14 value it for a different purpose?

15 And so, I would like to see whether you -- however
16 you strengthen 336, for there to be a set of required
17 communications from the auditor to the specialist that
18 explain how those estimations are to be used as an audit
19 context.

20 Because without that there is, I think, a high
21 probability that a large number of the specialists will
22 give -- will have perfectly valid, as you say, reasonable

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 estimates. And they may be for different purposes.

2 And that's another reason, not just the
3 assumptions, but the constraints around them, and the
4 goals of the purpose that give you a wider variety than
5 would otherwise be necessary.

6 MR. BAUMANN: Those are good points. Because
7 those are the elements of -- part of the elements of AS
8 10, supervision, the communication that you would have
9 with employed specialists in directing them and
10 communicating with them as to what they need to do.

11 So, that's a key improvement to 336. Bob Herz?

12 MR. HERZ: I guess my comments start with the
13 presumption or more experience that how you write the
14 standards. And then how the auditors will react and how
15 your inspectors will react to those words, have very
16 important behavioral consequences.

17 So you have to be very -- you're never going to get
18 a complete Goldilocks up front on all that. But, there
19 are some things you can, I think from experience do.

20 But you're trying to at least get to that, you know,
21 not too much, not too little. Do the right amount to get
22 the comfort.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 In that regard, I think as David Kane mentioned,
2 you know, one of the big issues that came up when we were
3 setting what was then 157, was around the level three
4 valuations of how much is enough to get reasonable inputs,
5 particularly to, you know, kind of say take a market
6 participant type view?

7 And you know, in particular, you know, there were
8 concerns by the preparers at that time that if we left it
9 kind of just without some guidance, that the auditors would
10 engage in a search and destroy exercise to find almost
11 anything that, you know, they could hang their hat on and
12 put in a work paper and the like.

13 And some of that -- so the words in 157, they're
14 around level three valuations, you know, talk about
15 management using its best estimate, but not ignoring
16 market evidence that's readily available.

17 And that does not mean that you've got to, I can't
18 remember the exact words, but do a search and destroy
19 exercise. And so, I was thinking of that in this context.
20 And the prior discussion of the two alternatives you were
21 considering. And it might apply to both.

22 But I was thinking in the first instance, if you

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 removed -- rescinded AU 336, what might that do to that
2 kind of behavior? Particularly since, you know, you're
3 very much emphasizing in some of your standards and
4 inspections that the need for the auditor to consider, you
5 know, contrary information.

6 And I'm not prejudging one way or the other. It's
7 just the issue of getting to the right -- to the right
8 balance there. I think that goes back to some of these
9 things that some of the valuation folks talked about.

10 Is that -- like Andreas talked about, that you know,
11 they're -- within even a level three valuation, there are
12 some assumptions that can be triangulated or market
13 corroborated. There are others that cannot.

14 And it's often very fact specific to the type of,
15 you know, asset and the type of financial instrument or
16 whatever. And there's no way you're even going to be able
17 to prescribe all that stuff. That should be in the
18 valuation standards that develop.

19 But, I guess my overall encouragement is to just
20 think about that, you know, that behavioral balance.

21 MR. BAUMANN: That's an important consideration.
22 And I think we've gotten a lot of that advice today I think,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 and similar advice in different ways that moving maybe
2 towards the IASB in this area, which has, not as far as
3 we've possibly said is some of the options in the
4 consultation paper, but it has potential other
5 improvements that are pretty close to it.

6 Sort of getting to your Goldilocks. They're
7 trying to get it just right. It's looking at the various
8 -- what they have in the ISA compared to what we're talking
9 about today. Thanks.

10 MR. SCATES: Bob, are you thinking that maybe we
11 should do more in the review and supervision from the
12 auditor's perspective when they're reviewing the work of
13 the specialist?

14 MR. HERZ: I'm thinking, well first, I start from
15 the premise that on the one hand, the preparer's got to
16 do the financial statements. And they've got to do
17 whatever they need to do to get comfortable with that.

18 And that is their responsibility to do that. So
19 they need to do that. But, on the other hand, the
20 auditor's got to come after that and make a judgment.

21 But you want the level of inherent process and
22 scrutiny over that process to be consistent between what

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 gets done by the preparer and what gets done by the auditor,
2 with the auditor still looking at it. And doing what they
3 think is necessary.

4 And you know, we found there have been the
5 consequences of the way standards are written, the way
6 inspections are done, the way auditors interpret things
7 that have very big behavioral consequences that probably
8 weren't initially intended by the standards, you know, the
9 way they were written.

10 So I'm just saying, you know, think about that.

11 MR. BAUMANN: And finally, just to follow up more
12 time, and that is, I guess we want to certainly avoid having
13 the auditor do more work around the work of the specialist
14 than management has to do around the work of their
15 specialist for preparing the financial statements.

16 That's certainly part of what you're saying.
17 Right? Thank you.

18 Maureen McNichols? Oh you? Your card's down,
19 sorry. Liz Mooney?

20 MS. MOONEY: Thank you. You know, this project
21 just strikes me as really important, you know, for the
22 profession and for the future.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 I mean, this is the future with more and more
2 estimates and judgments. And these are numbers that are
3 in the financial statements, unlike the non-GAAP issues
4 we were talking about earlier.

5 So, I think it would be useful to have the auditors,
6 you know, test the evaluation inputs and review the
7 methodologies by specialists, whether they're employed by
8 management or the auditors. And I would expect investors
9 would be happy to pay for it.

10 I mean, and I don't think you'd get complaints about
11 a higher audit fee to have better assurance about these
12 numbers. I think they expect these numbers are audited
13 already, so.

14 MR. BAUMANN: Yes. So if management is using a
15 specialist to prepare a complex oil and gas estimate the
16 auditor can't necessarily review that, the auditor should
17 be using his or her own specialist your saying to work under
18 the auditor to review that.

19 Is that your point? Right? Okay.

20 MR. MOONEY: Right.

21 MR. BAUMANN: Thank you. Jeremy Perler?

22 MR. PERLER: Thanks. This has been helpful and

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 informative.

2 I just have a question, last from the prior session,
3 but applicable now too. And I'm distinguishing between,
4 and maybe I shouldn't, but there's been a lot of discussion
5 on -- about these black box and propriety and geologic type
6 specialist work.

7 Which I understand is highly complicated and you
8 would never expect an accountant to understand that. But
9 then, there's also been discussion on using a specialist,
10 and maybe I have this wrong, but for things that feel to
11 be more in the wheelhouse of an accountant, like purchase
12 price allocations, perhaps PP&E valuation.

13 And, you know, specifically with the purchase price
14 allocation, restructuring reserves are now liabilities or
15 weird situations where accounts receivable and deferred
16 revenue are revalued to map altered revenue recognition
17 patterns, which I've seen a lot recently.

18 But I guess my question is, are those accounting
19 style decisions being made at the specialist level? Or
20 at the auditor level? Is a simple purchase price
21 allocation audited or not?

22 And I'm a bit confused on that now. And yes, I

1 guess that's my question.

2 MR. BAUMANN: I don't know if any of the auditors
3 want to respond. I assume the purchase price allocation
4 is audited.

5 But sometimes it's audited by -- with assistance
6 from specialists if that purchase price allocation
7 includes core deposit intangibles or some other type of
8 intangible assets that are hard to value by the auditor.

9 I don't know, Bill, you want to take a shot?

10 MR. PLATT: Yes. I think that's a fair summary
11 Marty. It's, you know, obviously with a large
12 acquisition, it has some material impact on the financial
13 statements.

14 There will be auditing of the allocation of the
15 purchase price to make sure that it was allocated in
16 accordance with the accounting standards that are
17 applicable.

18 Now some of that allocation will be things that are
19 clearly in, you know, the auditor's wheelhouse. You know,
20 you take something like accounts receivable, there might
21 be some minor adjustments for fair value.

22 But, you know, auditing, you know, are those valid

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 receivables? And are they stated at the right amount on
2 the day it was acquired? There's probably things done by
3 the core audit engagement team.

4 Then you've got other things. I mean, Loretta
5 spoke before, if she had IPR&D coming in as a result of,
6 you know, a development stage pharma product or drug, then
7 that would involve valuation people.

8 And looking at, you know, how you go through that
9 modeling to the fair value that IPR&D. And likely she may
10 be engaging valuation specialists to help her on one hand.

11 And we would have valuation specialists, I mean
12 Deloitte -- within Deloitte, who would then work with the
13 engagement team in auditing whether what was done by the
14 company was appropriate in the circumstances or not.

15 And doing the kinds of things that Andreas talked
16 about before in terms of what were the critical inputs into
17 it? Who's auditing which assumptions? And things like
18 that.

19 And so I think all of that's being done today. But,
20 if -- you know, if you had something that was truly outside
21 of the skill sets, I mean, I guess I don't specialize in
22 oil and gas, but if you had some type of value that

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 required, you know, a specialist, an engineering
2 specialist in that respect, you know, then that would
3 probably be a little bit different exercise in terms of
4 looking at that.

5 MR. BAUMANN: Can I follow up just a little bit
6 further? And David, maybe you can get engaged as well
7 since you talked about both employed and engaged
8 specialists.

9 So in the situation that Bill just described, and
10 Bill you can talk to it or David, how would -- would the
11 supervision differ? And how would the supervision differ
12 between when the engagement partner or whoever you
13 delegated this work to, supervise that accounts receivable
14 staff reviewing that work?

15 And whether or not the accounts receivable were
16 collectible? Or do they need an allowance? Or the
17 valuation of the intellectual property, which is done by
18 some specialist, how would you supervise that person who's
19 valuing the intellectual property on your engagement team?

20 How different is that supervision? Maybe you
21 could help us with that?

22 MR. KANE: I'll take a crack at that to begin with.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 So, let's take an example where they have to work together
2 on prospective financial information.

3 So, that's going to be a key part of a purchase price
4 allocation. Both parties, both the audit team and the
5 specialists who have to come together, the audit team is
6 going to have a much better knowledge about the company,
7 its strategic direction, where it's going.

8 The specialist is probably going to have a broader
9 perspective from a market participant observable
10 standpoint. In terms of what would a market participant
11 look like in terms of the inputs and the assumptions.

12 So typically, you know, if you look at the memos,
13 the specialist will indicate that the audit team will take
14 responsibility for the PFI. But it's really both pieces
15 working together.

16 If the audit team in terms of the PFI thought it
17 was a little bit more conservative or a little bit more
18 aggressive, you need to talk to the specialist. Because
19 that's going to directly impact what the discount looks
20 like.

21 So both pieces really do have to come together on
22 that. So, I'm sort of indirectly answering your question

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 here, Marty.

2 Just in terms of the review and supervision,
3 because both the audit side and on the specialist side,
4 both have to work collaboratively as part of that review
5 and supervision, in order to make sure that those basics
6 are covered.

7 MR. BAUMANN: Yes. Any further comment on this
8 question of differences or similarities and supervision?
9 Bill, I'll get to you in a second.

10 MR. PLATT: Yes. I mean the only thing I'll add
11 just in terms of the supervision, is it's clear when it's
12 an employed specialist. You know, we have protocols in
13 place where there are, you know, there are planning
14 documents prepared that would articulate, you know, the
15 responsibilities of the specialists and the
16 responsibilities of the core engagement team.

17 We do have, similar to what was mentioned before,
18 you know, we have levels of review within our specialist
19 teams where, you know, specialists are reviewing
20 specialist's audit work before it's turned over to the
21 non-specialist, let's say a lead partner in an engagement
22 team.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And so, all those protocols are in place in terms
2 of supervision and review. Honestly, I don't have as much
3 experience and, you know, if we happen to hire Susie's
4 company, you know, come up with a series of valuations for
5 us, that were used by the engagement team.

6 I don't really have a lot of experience in terms
7 of what then supervision or review we would do of her work
8 and her team.

9 But, my guess is that it may be much different given
10 the differences between having somebody employed as part
11 of the firm, and somebody who's maybe, I'll put it,
12 outsourced a service to provide a value. But I can't
13 comment specifically on that.

14 MR. BAUMANN: That's the question we're interested
15 in and a lot of comments on as part of this consultation
16 paper. How different or similar should that level of
17 supervision be for an employed specialist versus an
18 engaged specialist?

19 I think Dan, your card went up first. And then
20 Susie.

21 MR. OLDS: Well, I would just like to make a
22 comment, that as a specialist, one of the functions that

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 I do when I'm working with clients, and whether it's the
2 oil and gas client, or you're talking to their accounting
3 firm.

4 I may be talking to a lawyer at a law firm. I may
5 be talking to an investment banker. But I'm always
6 cognizant of the fact that I'm probably dealing with
7 something that doesn't have the technical background that
8 I do.

9 And an important part of my job is to make sure that
10 I communicate with them. And can convey issues that I may
11 see or issues that I have or concerns that I have.

12 Or things that I think that they need to know. Is
13 what I see as an important part of my job is to make sure
14 that I can convey that to them in a language that they can
15 understand.

16 MR. BAUMANN: Susie?

17 MS. DuROSS: I was just going to comment on some
18 of the questions about how the process works. I mean,
19 maybe I wasn't very clear on that in my original
20 statements.

21 But, generally speaking, the firms that work with
22 us, we do the preplanning --

1 MR. BAUMANN: The audit firms?

2 MS. DuROSS: The audit firms that we work with.
3 Which are primarily the second tier and then there's
4 smaller.

5 And I will say, there is some difference between
6 the two. Within the second tier audit firms, they
7 generally have some valuation experience somewhere in the
8 firm.

9 So, when they get our prices and our inputs, they
10 generally do two things. They review each of the kinds
11 of securities that we've priced.

12 So they pull a sample and they collect all of the
13 inputs. And then they review each of those inputs and make
14 sure that they can make sense of those inputs.

15 They come back to us. They ask questions. You
16 know, sometimes they have a real financial background and
17 a financial experience.

18 Other times, it's questions that are just common
19 sense. You know, this CLO is rated A and this whole loan
20 is rated BB. Why is this spread, you know, X minus Y?

21 So, you know, so things that just kind of makes
22 sense. You know, you want to know why one product would

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 trade at a different yield than another.

2 And those are the follow up questions that we do.
3 The secondary thing that all of our firms do, and we
4 actually suggest, when we run into items that have
5 variances that are outside of our threshold, we reach out
6 to the audit staff, basically the field auditor and we
7 suggest that they collect the inputs.

8 Most of the firms, well, it's all different. But
9 some of the firms automatically request when that happens
10 that they get the inputs.

11 And others follow up kind of on an as is basis if
12 it's material or not. And that's entirely up to them.

13 All of the information that we provide, the auditor
14 is making the final determination. So, you know, they're
15 using our fair value estimates to test the accuracy of
16 their clients'.

17 So when variances arrive, they really do need to
18 dig in. So, it is kind of a two pronged approach where
19 they're reviewing our procedures generally speaking. And
20 also reviewing when there is variances.

21 MR. BAUMANN: Is there much variation among the
22 different audit firms and how deep they dig into your work

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 from very extensive to -- assuming a similar risk of
2 material misstatement, how deep they dig into it compared
3 to others?

4 MS. DuROSS: There is. Some dig very deeply. I
5 think it's, you know, sometimes, you know, how much money
6 and time they have to put into some of these things.

7 How many SEC clients, SEC issuer clients they have.
8 We don't sell any valuation reports to SEC issuers that
9 don't have a sampling of all of our inputs.

10 So the size of the sample is entirely up to them.
11 How to choose the sample, it's entirely up to them.

12 But, that would be a standard. There wouldn't be
13 a firm, no matter what size, that wouldn't get a follow
14 up that we would suggest that they follow up and collect
15 the input sample size.

16 I think that for the smallest firms, you know,
17 sometimes they don't even have a Bloomberg, they don't have
18 anyway to substantiate the information that we put out
19 there.

20 So, there's a pretty big difference between, you
21 know, a top second tier firm and the resources that they
22 have with regard to the financial markets. And someone

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 that's much smaller that maybe only has one or two SEC
2 issuer clients.

3 MR. BAUMANN: Thanks, Susie. Rick, I think you're
4 next on the list. But first, Jay, did you have a follow
5 up on this?

6 MR. HANSON: I just want to make an observation of
7 some of what I hear here. And I am thinking this is a very
8 different description of what I'm hearing from some of the
9 firm representatives about what happens.

10 Compared to what Professor Boritz said about the
11 survey of what's actually happening. And it feels like
12 on the one hand, we're hearing the highlight reel. On the
13 other hand, we're hearing the out take clippings.

14 And I just -- it feels like to me like if we could
15 raise the standard to describe what the highlight reel is
16 describing, as well as, and I'm looking at Joan's spot,
17 she walked out of the room, but do something collectively.

18 Whether it's PCAOB, whether it's firms, whether
19 it's whatever, to bring the practice on the out takes up
20 to the highlight reel. We'd go a long, long way towards
21 effectiveness.

22 And we'll never solve this problem. But

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 addressing the majority of the issues, there are findings
2 from inspections show a fair bit of the out take reel
3 results.

4 But yet, we do have some that we observe the
5 highlight reels too. So, it's how do we get practice to
6 be evolved more towards the highlight reel that's being
7 described?

8 MR. BAUMANN: I agree with that. Rick, I said
9 you're next. And you're up.

10 MR. MURRAY: Thank you, Marty. I'll be as brief
11 as I can. If I were wiser, I'd probably understand whether
12 all my questions have been answered already by other wiser
13 people.

14 If we start with the assumption that what we're
15 seeking to do here is to improve the quality and the
16 credibility of decision relevant information for
17 investors and other users of financial statements. And
18 that the issue is where can audit standard setting
19 contribute in this area to that process.

20 I assume there is a kind of unstated assumption that
21 what audit standard setting is capable of doing, is
22 increasing the homogenization of a fairly, disorderly is

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 not a kind word, a fairly incongruent, inconsistent world
2 of activity at the moment in which solutions are sought
3 on an evolving basis.

4 The key question that I think that the papers raise
5 for us is, what form of regulation would be preferable to
6 go about that? I wonder if we don't also need to add a
7 filter of asking how much regulation.

8 One contemplates that the sources of messiness in
9 the environment that we're dealing with, are multiple.
10 They lie in the nature of the issue, the way it's shaped,
11 where information bears on evaluation.

12 How a company goes about doing it substantively and
13 procedurally. What's going on in the expertized market.
14 How it processes. How it connects in both sides of the
15 panels here.

16 And by the way, I think this has been an excellent
17 set of panel presentations in giving us a real visceral
18 picture of what the challenges are. There is
19 differentiation within the expert community and it's
20 standards and criteria.

21 And a diversity of approaches within firms by size
22 and by their own protocol. Some, but far from all of that

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 diversity and messiness, is accessible through the ways
2 auditors go about conducting their work and therefore
3 accessible through the audit standard setting process.

4 The questions that I wonder if we shouldn't also
5 be paying some attention too in the process, are what's
6 capable of being effectively reached through the audit
7 process? Some clearly is.

8 Also, at what point does the homogenizing benefit
9 of standard setting create a potential adverse consequence
10 of over homogenizing that which is by its nature incapable
11 of responding well to it?

12 And thirdly, whether those kinds of questions are
13 amenable to a role for economic analysis in trying to
14 measure the net value consequences of what's proposed.

15 MR. BAUMANN: I think you've summarized some of the
16 big -- some of the challenges in front of us. So, thank
17 you.

18 But you're right. There's a -- we've heard a lot
19 of very disparate practice. And I think we want to reach
20 the right level in audit standard to narrow that disparate
21 practice.

22 But yet, let there be some scalability for

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 different risks and different sizes as appropriate. So,
2 I think trying to do all of that within the -- and
3 demonstrate the economic support behind all of that is
4 what's in front of us.

5 But, we're getting a lot of great input today, I
6 think to do that. So, thanks for your comments.

7 Andreas, you put your card up. As one of the
8 panelists on one of these questions that jumped up. So
9 I thought maybe you had -- wanted to contribute.

10 MR. OHL: I think it maybe address a number of the
11 comments. And, you know, I think there's been a lot of
12 discussion about disparity and approach.

13 And you know, maybe addressing the world that my
14 two panelists operate in, because I don't operate in that
15 space. I really do want to emphasize that where I see the
16 greatest disparity, is not in the audit process that we
17 apply.

18 It's in my team certainly. It's in the nature of
19 the work that's done in the original appraisal. You know,
20 the scope of what the appraisers engaged to do, can vary
21 pretty substantially.

22 And sometimes that's a fee question. Sometimes

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 that's a materiality or there could be other
2 considerations that are coming into play.

3 So, that scope, and a lot of times the variability
4 in scope is really getting to what Bob was talking about,
5 which is how much of that research is being done to identify
6 what are the most appropriate inputs.

7 And, that's where I see the vast majority of the
8 variability. And then to the extent there's variability
9 in the audit process, it often is basically through the
10 audit process getting the client and the appraiser to go
11 back and do some of those things that I guess we wish they
12 would have done in the first place.

13 And so, again, whatever -- I don't have a point of
14 view on kind of View A or View B. It's more that I would
15 strongly encourage that whatever construct we come up
16 with, that it addresses the -- what I think is the
17 underlying issue.

18 Which is the variability and the work that's being
19 done in the first place that's subject to audit. As
20 opposed to the variability in the audit process itself.

21 MR. BAUMANN: Great. Thank you. Sri?

22 MR. RAMAMOORTI: Well maybe, but I -- you want me

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to defer? Okay.

2 MS. PETERS: I guess, you know, one thing that
3 occurred to me, we chatted about a little bit at my table
4 at lunch. And what I think is really hard for investors,
5 is that we're having this whole dialogue and they -- it's
6 all sort of behind the curtain.

7 And they don't actually see who the company engaged
8 as a specialist. Nor what the auditor thinks of it.

9 And I think to decide or to even comment, you know,
10 as I look at how we might comment on this formally, the
11 real question is, it would be hard to ask some investors
12 because they don't know that this is going on. They don't
13 actually see it.

14 They don't see the problems that the auditors are
15 having. And they don't have insight into that
16 information.

17 And, but it also concerns me from the profession's
18 perspective, because as I think most people -- most
19 investors would like it the way that Loretta described it
20 this morning, in the sense of they do work, they engage
21 specialists where they feel they need to, and they have
22 auditors who basically reperform the work.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 I would guess if I asked, that would be the answer
2 that I would get. But, as she rattled off the size of the
3 balances that were subject to that, it was probably \$100
4 of \$150 billion of the assets.

5 And I think if you told investors that, they would
6 be very surprised that the auditors would have to engage
7 specialists to that degree of the balances associated in
8 particular institutions.

9 And it goes to a point that Professor Boritz made
10 about sort of the perception of deskilling the profession.
11 And something that Sri made about what's the normative set
12 of skills?

13 Is it normative accounting in auditing? Or is
14 valuation perceived by investors to be part of the
15 normative skill of accountants and auditors today?

16 And I think to some degree it is. And there needs
17 to be better articulation or communication to them of what
18 in fact the degree of specialists that's used. And it goes
19 to the conversation this morning of communication of that
20 in the auditor's report.

21 I think that I've experienced having been an audit
22 partner and having been a preparer, where we've done

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 goodwill valuations at an insurance company. And I've
2 experienced the same thing that Loretta has.

3 But I've also experienced the scenario that
4 Professor Boritz, in that there's a lack at times of
5 integration of the auditor. That there -- of the
6 specialist, they're considered to be off there.

7 And it's super important that the language of
8 accounting and the language of finance merge. I just find
9 it really challenging in that how do we comment on this,
10 because I don't think all but even the most sophisticated
11 investors, I'm not certain completely understand the level
12 of work done by specialists, by the company, and probably
13 even more importantly in their eyes, by the auditor.

14 And I think it's a super -- it's an important part
15 of communicating both by the audit committee and the
16 auditor with investors.

17 MR. BAUMANN: Thanks Sandy. It's sort of what I
18 heard you say was, right now, investors see a set of
19 financial statements with \$150 billion of assets and have
20 no transparency into the fact that the auditors needed the
21 -- didn't have the expertise in a lot of those areas to
22 audit that work themselves.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And needed to such an extensive amount, the
2 assistance of third party -- of specialists, whether
3 employed or engaged, to help them.

4 And you think that would be important information
5 to investors.

6 MS. PETERS: I think that they would be very
7 interested in that. And the percentage of fees that were
8 paid to audit the -- I mean, I know we'll never get there.

9 But I think if you ask them, they'd be like well,
10 how much did we pay for the auditing of those? Because
11 those are the most important things to us.

12 I worry that the accounting and auditing profession
13 is going to be left with the things that aren't filled with
14 judgment and are the lowest skilled tasks that Professor
15 Boritz, I think there was one point on his skill to that
16 -- or on his slides to that effect.

17 I think these are the things that investors care
18 most about. They are forward looking. They are the
19 things that set value.

20 The past transactions are over and done with. And
21 while they're interesting, they're trying to figure out
22 the price for the future.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. BAUMANN: How would you as an investor use the
2 information if you found out that the company has \$150
3 billion of assets, specialists were needed to value or to
4 assist the auditor for \$100 billion of those assets.

5 And for \$50 billion of those were used management
6 specialist work. And for \$50 billion we use our employed
7 specialists?

8 MS. PETERS: I think that they would perceive these
9 as the greatest risk areas. I think the conversation
10 about ranges and those disclosures about the ranges.

11 I mean, we said this when we commented back on 157.
12 That's ASC 820 now, I guess, right? That we don't want
13 necessarily sensitivity analysis. But we'd like to
14 range. We'd like a range and where do you sit in that
15 range.

16 Because they're going to take those numbers and
17 they're going to adjust what they perceive. And they're
18 going to look at where people sit over time.

19 It's not just is this estimate right. But how
20 straight up is management with me over time in coming up
21 with these that gives management street cred. In that I
22 know that they're always a little conservative or they're

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 always a little aggressive.

2 And investors make their own assumptions about how
3 they're going to adjust for that.

4 MR. BAUMANN: Thanks Sandy. Sri, you're up.

5 MR. RAMAMOORTI: Thanks Marty. I want to go back
6 after hearing all this to my earlier comment. That the
7 distinction between substantive expertise and nominative
8 expertise is actually pretty important.

9 That's what I'm gathering. And the reason is, it
10 is a substantive expert who leads. The nominative expert
11 is the one who will be taking orders from the substantive
12 expert.

13 And the substantive expert in our, you know,
14 scenario here is the audit engagement partner, who's
15 ultimately responsible in what works going on there.

16 I want to draw attention in this regard to what
17 Andreas said about getting a sense of what are the issues
18 by preparing some of these reports yourself, not just
19 review them. Because I think the process of preparation
20 helps you understand how you can go beyond the information
21 given.

22 But if you just work with the information that's

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 given to you, then I think you become almost lazy
2 intellectually. You're not thinking, you know, what
3 other things might be out there.

4 But if you prepared it, then yes, you know, you
5 understand all the difficulty with which, you know, you're
6 dealing with.

7 So all that is leading me to say, back to my
8 question, who is engaging whom for what purpose? So that
9 is the key question here.

10 Who is engaging whom for what purposes? And what
11 are the structures that we decide? The key criteria there
12 would be the fitness for purpose. How do people get
13 engaged and was the fitness for purpose achieved?

14 After that, the one other comment I have here is,
15 I was a little concerned with Efrim's characterization of
16 the breakdown in communications between auditors and
17 specialists. And this is a very unfortunate behavioral
18 thing.

19 Stanford psychologist Leon Festinger has talked to
20 us about the law of social comparisons. And it is very
21 common among human beings to show what is known as
22 disciplinary chauvinism.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 I am better than you because I'm intellectually
2 superior. This is very common. And so, you are going to
3 have some fights, absolutely.

4 And in those fights, what I'm trying to say is, the
5 substantive expert wins. Because they are at the top of
6 the heap. There's no question.

7 So that's why that distance is important. But I
8 think John Lukomnik's idea of the required communications
9 from auditors to specialists is critical. But I think AS
10 10 has taken care of that, so that's great.

11 So I think that's a wonderful, you know, way to try
12 and, you know, resolve some of these issues.

13 MR. BAUMANN: For at least employed specialists.

14 MR. RAMAMOORTI: I'm sorry? Oh, I see. I see.
15 Okay. But, there is because here a cultural translation
16 problem here. You know, they don't speak the same
17 language, these folks.

18 So that's why this required communication is
19 critically important. Because it hopefully resolves the
20 cultural translation issues that might exist between
21 auditors and specialists.

22 This is my final kind of point here. And why all

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 this discussion is so, so critical as I see it.

2 So, in the medical profession, there is a very
3 famous lament. The operation was successful, but the
4 patient died. It's very famous. Very well known.

5 So, it's a real concern, if we aren't careful in
6 this area, there is going to be increased public skepticism
7 about audits. And that's a very dangerous situation to
8 be in.

9 And so you want to think about this very, very
10 carefully. And so part of this whole initiative should
11 be how do we educate the investing public about, you know,
12 some of this complexity that's, you know, happening, but
13 they aren't privy to it.

14 They don't understand. Maybe they don't want to
15 understand after we explain. But, you know, I think we
16 should try because it's very important to our profession.

17 And where it could really go off kilter is with
18 respect to the growing concern opinion, where, going with
19 this, you know, operation was successful kind of comment,
20 we do not want folks to start concluding that every time
21 there is a business failure, obviously there was an audit
22 failure too.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 We don't want that conclusion. I mean, obviously
2 it's a wrong conclusion. But it is an inference that would
3 be an unfortunate inference that people will draw.

4 So, that's all I have. Sorry for being so long in
5 my comments.

6 MR. BAUMANN: No. That's fine. And a very good
7 comment. And we appreciate that valuable input.

8 Wally Cooney?

9 MR. COONEY: Just briefly to respond to the idea
10 that the auditors may -- or investors would be interested
11 in information with respect to how much work's being done
12 by specialists in the audit.

13 Not to address what's in the auditor's report, but
14 I just wanted to emphasize that management in preparing
15 their financials in MD&A has significant disclosure and
16 discussion about intangibles, impairments, the relative
17 materiality of those.

18 Pension accounting, to the extent it's
19 significant, the balances related to that, and there are
20 extensive disclosures as well outside of the MD&A, outside
21 of the critical audit matters in the MD&A that are in the
22 footnotes too.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 So there is a lot of information in the reports on
2 those particular topics. Not to say that investors might
3 be -- may be interested as well in what the auditor's
4 involvement with those are.

5 But, more to some of the discussion today. I just
6 wanted to step back and provide sort of my general
7 observations. And I think it's been a great discussion
8 today.

9 You know, in my view, the standards, whatever's
10 done with 336 and some of the other items we're looking
11 at, I really think they need to be principles-based. I
12 don't think a one size fits all in the current environment
13 with different types of specialists involvement in new
14 areas really will work.

15 So, I think it should be flexible for different
16 types of items. It should be risk based.

17 Certainly, I mean, I would support retaining 336
18 for company engaged specialists, with improvements as
19 desirable. And some of the things we talked about in terms
20 of enhancing communication, certifications, management
21 reps, development of industry, standard frameworks for
22 reporting, I think those can all kind of happen. And be

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 worked on in conjunction with that.

2 But, I think, you know, where I'm coming from on
3 this is, the two areas where we use specialists a lot is
4 actuaries and business valuations. And while those are
5 challenging areas, and from where I sit, those -- the audit
6 process seems to work fairly well.

7 And Loretta talked about the process that she goes
8 through. There is a lot of management ownership of these
9 areas. There's a lot of scrutiny and questions and robust
10 review process going on between the auditors.

11 And so I think with respect to some of these
12 specialists, these areas where specialists have been used
13 for a fairly long period of time, it's generally working.
14 There may be instances to Jay's point where, you know,
15 execution may not be where we want it to be.

16 I don't think that's really an issue with the
17 standard per se. But, I would not want the end result to
18 be where there's a lot of replication, duplication of work
19 in areas like pension accounting and business valuations
20 that perhaps are properly scoped and are done properly.

21 We don't -- I don't think we want your auditors
22 engaging specialists to do full-blown valuations and

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 business valuations and full blown actuarial reviews.
2 Those generally seem to be working now.

3 And I think we want to leverage the work that's
4 being done in those areas. And prioritize audit effort
5 on higher risk areas.

6 And with respect to specialists, maybe in level
7 three investments, those are the types of areas where maybe
8 additional time and energy needs to be spent.

9 MR. BAUMANN: Thanks Wally. I see two more -- Rick
10 is your card up from before or again? Thanks.

11 So I see two cards left up. Liz Murrall and Guy
12 Jubb. And I have to take Liz and Guy and then I'll wrap
13 this session up.

14 MS. MURRALL: Thank you. Yes, in the UK we've had
15 better insight into the work that's being performed by
16 specialists following the new audit report. And I think
17 that's been very welcome.

18 But what investors don't -- haven't been able to
19 appreciate or haven't understood, is the extent to which
20 the auditor reviews and oversees that work.

21 And I was concerned going through the papers to see
22 the difference between the role of the auditor in relation

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to employed specialists and engaged specialists under 336.

2 And also now, I'm increasingly concerned to hear
3 about the divergence in practice as to what goes on. And
4 I think it would be very helpful if that was -- could be
5 addressed.

6 And maybe in the UK going forward, we can have more
7 transparency as to that in the audit report. But I also
8 think it needs to be very clear that the auditor owns this
9 work if it's going into them forming their audit opinion.

10 And I suppose one of the issues that I had when I
11 was going through the papers, was well, who actually owns,
12 particularly in relation to an engaged specialist, an
13 auditor's engaged specialist, who actually owns those
14 papers?

15 And can the auditor actually refer to that work in
16 their audit report? Which is their report. And if not,
17 is that something that needs to be addressed?

18 MR. BAUMANN: Well, the auditor, to the extent
19 they're using the work as part of -- to support their audit
20 effort, they need to have sufficient documentation around
21 that work. Which would include taking papers and a report
22 from the engaged specialist to support their audit

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 opinion.

2 So the auditor does own the responsibility for that
3 engaged specialist's work. And needs to have in the
4 papers, reports from that engaged specialist or other
5 documentation that would support the work done and the
6 review of that work, consistent with AU 336.

7 So that's the answer to one of your questions. And
8 as you said, CAMs are a way potentially to disclose.

9 They have been in the UK I gather, where specialists
10 are being used. And that could be a way to disclose the
11 use of specialists in the United States as well, if we go
12 forward with CAMs.

13 Guy Jubb, I think you've got the final word on this.

14 MR. JUBB: Thank you. And I'd like to encourage
15 the PCAOB in its development of these standards to give
16 due weight to the comments by Dr. Boritz in relation to
17 the supervision, coordination and review. The points
18 listed on his slide there.

19 I do recognize that these are execution issues.
20 But I do believe that they are execution issues that --
21 in terms of the financial information that comes out of
22 issues.

1 It is information which the standards should
2 address in terms of ensuring that the auditors for example,
3 Dr. Boritz says auditors may not have the capability to
4 effectively supervise or review the work of the
5 specialist.

6 And that is something which I think that has to be.
7 It's very important that that should be nailed firmly in
8 the standards.

9 Bearing in mind that many audit engagement
10 partners, in particular are selected because they are
11 perceived to have sector specialty themselves, special
12 sector expertise. And the ability to recognize failings
13 in this respect may be quite challenging.

14 And finally, in terms of the disclosures, which
15 were also listed in that slide, I think it is very important
16 to investors that the disclosures are not only appropriate
17 in relation to the financial amounts, but are complete in
18 relation to providing their presentation of the factors
19 that can are attributable to these complex instruments.

20 Thank you.

21 MR. BAUMANN: Thanks Guy. Those are good
22 comments. The standards do require today that auditors

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 need to have the specialized skill and understand the
2 industry that they're auditing and sufficiently to perform
3 that audit.

4 And they also need to have sufficient skill to be
5 able to direct the work of specialists when they use the
6 work of the specialists. And to understand enough about
7 that industry to use their work of specialists.

8 But, whether there needs to be more specificity
9 around that is one of the things certainly we're exploring
10 here as part of that.

11 So thanks everybody. And you'll see in the next
12 session, after we come back from break, which will be the
13 continuation of our discussion of our other standards that
14 we're addressing at the same time, auditing and accounting
15 estimates and fair value measures.

16 Everything we've talked about here around
17 specialists in this discussion goes right to use of
18 specialists in complex estimates and fair value measures.
19 The two projects are really closely wedded together in
20 terms of how we take them forward.

21 So, when we come back we will talk about the status
22 of our project on auditing estimates and fair value

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 measures. And some of the important decisions left open.

2 But I think as indicated in our standard setting
3 agenda we put out last March, we will look at potentially
4 bringing these two projects together as we do standard
5 setting. Because it seems to me that specialist project
6 and the estimates project have a very close connection in
7 terms of putting out anything from the PCAOB on this.

8 I want to thank all of the SAG members again for
9 significant comment and input throughout this entire
10 discussion. That was incredibly valuable to us.

11 And of course, as you know, there's a transcript
12 of this entire -- I mean, of all of our meetings. And we
13 look at that carefully as we go through and ultimately do
14 the next round of standards settings.

15 So, thank you for that. And to the panelists,
16 thank you very much for your contribution. Your
17 willingness to join us today and all the value you added.

18 So, thank you very much. It's 3:25. We should be
19 back by 3:45. That's our goal. Thank you.

20